

**American Academy of Pediatrics
Section on Pediatric Pulmonology
and Sleep Medicine**

ORAL HISTORY INTERVIEW

**Alan H.
Jobe, MD, PhD**

**Interviewed by
Steven H. Abman, MD**
July 13, 2021

<http://aap.org/pediatrichistorycenter>

American Academy of Pediatrics
DEDICATED TO THE HEALTH OF ALL CHILDREN®



Alan H. Jobe, MD, PhD
Interviewed by Steven H. Abman, MD

Preface	i
About the Interviewer	ii
Interview of Alan H. Jobe, MD, PhD	1
Curriculum Vita, Alan H. Jobe, MD, PhD	13

PREFACE

Oral history has its roots in the sharing of stories which has occurred throughout the centuries. It is a primary source of historical data, gathering information from living individuals via recorded interviews. Outstanding pediatricians and other leaders in child health care are being interviewed for the Gartner Pediatric History Center of the American Academy of Pediatrics. The purpose is to record and preserve the recollections of those who have made important contributions to the advancement of the health care of children through the collection of spoken memories and personal narrations.

This volume is the written record of one oral history interview. The reader is reminded that this is a verbatim transcript of spoken rather than written prose. It is intended to supplement other available sources of information about the individuals, organizations, institutions, and events that are discussed. The use of face-to-face interviews provides a unique opportunity to capture a firsthand, eyewitness account of events in an interactive session. Its importance lies less in the recitation of facts, names, and dates than in the interpretation of these by the speaker.

ABOUT THE INTERVIEWER

Steven H. Abman, MD

Dr. Abman is Professor of Pediatrics and Director of the *Pediatric Heart Lung Center (PHLC)* at the University of Colorado Denver Anschutz School of Medicine and Children's Hospital Colorado. He obtained his undergraduate degree at Carleton College, attended Northwestern University Medical School, and completed his internship and residency in the Department of Pediatrics at the University of Colorado. After serving as Chief Resident, he completed a 3-year fellowship in Pediatric Pulmonary and Critical Care Medicine, joined the faculty of the University of Colorado in 1986, and was promoted to Professor in the tenure track in 1996. His interests in both clinical and laboratory research and patient care led to the launch of the PHLC at Children's Hospital Colorado, for which Dr. Abman has served as Director for nearly 25 years. The PHLC provides inter-disciplinary clinical care, research, training and education related to diverse pediatric cardiopulmonary disorders. Along with research training for many fellows and junior faculty, the PHLC has developed novel clinical care approaches that include development of the *Pediatric Pulmonary Hypertension Program* and *Ventilator Care Program*. More recently, Dr. Abman founded and continues to serve as Director of the *Pediatric Pulmonary Hypertension Network (PPHNet)*, a multicenter clinical research and care group consisting of 10 leading PH centers from throughout North America, and initiated and led a joint American Heart Association/American Thoracic Society working group to establish the first joint guidelines for the care of children with pediatric pulmonary hypertension. He also helped launch the *BPD Collaborative*, a group of major medical centers with multidisciplinary programs devoted to research and clinical care of infants with severe BPD. Dr. Abman has received several national awards, including the *Outstanding Investigator Award* from the American Academy of Pediatrics (1998), the *E. Mead Johnson Award* of the SPR (1999), and the *Distinguished Achievement Award* of the American Thoracic Society for outstanding contributions to fighting respiratory disease through research, education, patient care, and advocacy (2015). He was selected for the *Mary Ellen Avery Award* (2016) from the American Pediatric Society and Society for Pediatric Research "to recognize the lifetime achievement of a pediatric investigator who has made important contributions to neonatal health through basic or translational research." He was also honored by the *Bengt Robertson Research Award Lecture* at the European Academic Pediatric Societies International Conference and he received the *International Arvo Yllo Medal Award*, which is given every 5 years in recognition of outstanding research of newborn and premature infants from the chairs of the Departments of Pediatrics at the 5 Finnish medical schools, the Finnish Foundation Pediatric Research, the Finnish Pediatric Association and the Mannerheim League for Child Welfare, Helsinki Finland, 2017. Dr. Abman has also been active as an educator and research mentor for numerous trainees, which was recognized by the *Career Teachers Scholar Award* (2012) from the University of Colorado and the *Maureen Andrews Mentorship Award* from the SPR (2015). Dr. Abman has also served or led numerous study sections and workshops of the ATS, American Heart Association and NIH, and currently serves as an Associate Editor for the *American Journal of Respiratory and Critical Care Medicine*. Dr. Abman served as President of the *American Pediatric Society* in 2020-2021.

Interview of Dr. Alan Jobe for the American Academy of Pediatrics Archives

SA: I am Steve Abman, Professor of Pediatrics at the University of Colorado and Children's Hospital of Colorado. It is my distinct pleasure to have the opportunity to interview Dr. Alan Jobe to discuss his career and many of his thoughts on a range of topics, including the evolution of neonatology, academic medicine in pediatrics, research, and training, and global health, but to especially to hear of that personal touch about his own life and career, major influences along this path, advice for us regarding advancing the health outcomes for preterm newborns. As you know, Dr. Jobe is Professor of Pediatrics at the University of Cincinnati School of Medicine and the Cincinnati Children's Hospital Medical Center. Alan has had an extraordinary career as a world-renowned leader in neonatology as well as throughout academic medicine more broadly. His research and insights regarding links between basic science, translational medicine, epidemiology and others have contributed to so many breakthroughs in the care and management of preterm infants, especially from his work on the use of antenatal steroids and surfactant therapies.

In addition, his impact and legacy extend well beyond his direct contributions to respiratory physiology and clinical care of preterm newborns due to his vision, thoughtful teachings and extensive leadership throughout the academic community. Alan has enriched the lives of so many of his colleagues in academic medicine, but most importantly, his work has saved the lives of so many children and gave greater quality of life and well-being to his patients and their families. We're very grateful for Alan's many contributions, and it's our pleasure to have him here today.

Before starting with our questions, I further wish to comment on how extraordinarily productive Alan has been throughout his career. He has been actively engaged in research from the days when he did his undergraduate work at Stanford and obtained his MD and PhD at the University of California San Diego. This was followed by launching his academic career at Harbor-UCLA before moving to Cincinnati in 1999. Based on his extensive contributions and leadership, Alan has received many outstanding awards, including the prestigious Virginia Apgar Award, the Mary Ellen Avery Award of the Society for Pediatric Research and American Pediatric Society, the Arvo Yllpo Award from Finland, the prestigious Drake Medal of the University of Cincinnati, and many other recognitions, including election to the National Academy of Medicine.

I could spend the whole hour talking about your achievements and legacy, but let's go ahead and start our chat with discussing your life, influences on your own career and your views on the changes in neonatology and academic medicine more broadly. Perhaps we could start by talking about your early background: where you grew up, what influenced you to seek a career in medicine and research and go from there... So, you're a Californian boy?

Alan Jobe (AJ): Right, I'm a third generation Californian. I was born and raised in Los Angeles, where I grew up, went to high school and all that sort of thing. Very early on, I was interested in biology, did much scuba diving and snorkeling, and was initially hoping to do marine biology. I explored that when I was in college as an option for graduate school, but the problem is that most of marine biology is high-end physics and chemistry. So I thought that probably wasn't for me. So, after finishing Stanford, I went ahead and applied to medical school. I got into several medical schools,

and then I decided I wanted to do a PhD instead of becoming a physician. So I ended up at UC San Diego and their new molecular biology program. Which was at the time when the molecular biology new word was just being invented? I worked initially just for the initial rotation in Mel Cohen's lab at the Salk Institute where there were all these Nobel prize winners walking around. Mel was very good to me because when I was a second year graduate student there. I started working in his lab doing molecular immunology, trying to figure out why, what, and how myeloma cells would express and secrete antibody and what its specificity of the preterm might be. It also turned out that I had a bad draft number and so Mel thought it wasn't a good idea for me to go to Vietnam to die. So, Clifford Grobstein had been a professor of mine at Stanford. He was the new Dean at UCSD. Mel Cohn called Clifford Grobstein and suggested that they look at me as a medical student in their first medical school class at UC San Diego. And so I got into the medical school class in May, whereas everybody else was admitted in February or something like that.

So, I ended up going to medical school after all in the first class at UC San Diego. And then I kept doing my PhD at the same time which nobody questioned at the time. There was no formal MD-PhD program; I just did it. I had a discussion with Mel about how to do this and to try to do mouse immunology while being in medical school was impractical. However, it just wasn't practical because of the breeding and the time involved with mouse based immunologic research. So, his wife, Suzanne Burgeois, PhD was working on the Lac operon in the early days of molecular regulation. She had a PhD from the Pasteur Institute. So I started working with her because I could work with E. coli, grow them up, put them in a freezer, go to medical school, come back that evening and do an experiment. So that's how I ended up working on E. Coli and the lac operon for my graduate school experience. And that was very profitable. I got lots of papers out of that and went to a lot of meetings. That was really good. It didn't hurt that Jacques Monod, the Nobel prize winner, was walking around the lab and talking with me.

And so that was great fun. I was basically doing physical chemistry because I was measuring how the lac operon (DNA) and the repressor protein actually bound to each other. So, I was isolating the DNA from phage viruses and then isolating the protein repressor and doing kinetic measurements. Afterwards, I did my two years of medical school clinical training, and during that time, I ran a clinic in Baja, California, for a group called the "Flying Samaritans." We went down once a month. I had my own TB and leprosy patients, so that was a real learning experience and real life medicine. So, I have seen my career sort of go and complete circle, I never formally did international health or epidemiology until I started consulting with the Gates Foundation about antenatal steroids about five years ago. So, my exposures in Mexico probably influenced me for that activity. And then I had some decisions to make, such as "what was I going to do in terms of medicine?" I didn't like taking care of old people. I didn't like psychiatry. I liked development. So, pediatrics was the way to go because pediatrics is essentially developmental biology, and I liked the acute physiology of the NICU when I did a rotation there.

I talked to Lou Gluck, who was the Head of Neonatology at UC San Diego at the time, and I got a position and as a neonatal fellow. Just a couple of anecdotes: when I first went into the NICU as a medical student, the kids with RDS were intubated on anesthesia bags. The concept of CPAP had been discovered and published in 1972, There were no infant ventilators, which meant that if you put

a baby on ventilators in that era, all you did was kill him. So, at that time, what we were doing was to have the nurses give the infant with RDS a puff on CPAP every third breath, 24 hours a day. That is, the nurse was the ventilator. And by the time I got to the NICU as a first-year fellow, we had Baby Bird ventilators, the first infant ventilator, which was a fantastic ventilator. Actually, I think it would still work very well today. And it would be much cheaper and much easier to use than the ones with all the knobs that few know how to use.

So that, that was the evolution of ventilation at the time. I have just a comment on our current situation. It used to be that your license to be a neonatologist meant that you were a card-carrying expert on how to do respiratory care for babies. And my impression now is that the fellows wouldn't have a clue about how to set up a ventilator. It's all done by respiratory therapists and the therapists do much of the management. We used to have paper flow charts where you can look at the babies and see the flow chart and see what the hell was going on. Now, it's all built into the monitor and few routinely look at the time trends. I've certainly traveled a lot and done rounds in a lot of units, including different units are in very good units, and the team sit down around a table, look at the computer screen and don't walk around and look at the baby. And that's how they do their rounds. I think that's really misguided. And I think you have to look at how the baby's breathing, because you can have a hundred different combinations of ventilatory support with a baby having normal blood gases, although the ventilator isn't working optimally. I think it really pays off if you to actually go look at the babies, even in 2021. That's I think a real weakness now that these people don't actually manage babies by their clinical findings on the physical exam, by walking around and looking at the babies, then looking at the ventilator and trying to figure out what's going on. That's just a comment on the present modern times.

SA: You had a strong basic science background and then went to medical school. As we're talking bedside care and the application of respiratory physiology, how did you come to blend these things- the science and the care- together in ways to begin to problem solve about neonatal lung disease? What was it about your background, training and experience in that period that made it so natural for you to link these worlds together?

AJ: When I started my research at UC San Diego as a fellow, the fellow in Gluck's lab was Mikko Hallman from Finland using animal models, and he was doing pool size measurements and secretory rates of surfactant components at the time, the first person to do that. So I was influenced by him. And so I started to apply my knowledge of binding kinetics, secretory rates and pool sizes from the lac operon to the surfactant system. So for the first 15 years, that's what I did. And in those days you could set up your own lab and have one technique that you were using. You didn't have to collaborate with eight different labs with mass spec and NMR and everything else to actually publish in good journals. So I had a knack for animal models. We started using rabbit models and Bengt Robertson from Sweden was ventilating, preterm rabbits to study surfactant function.

So I got him to come over to Harbor UCLA and Bengt showed us how to ventilate preterm rabbits, which led us to build our own preterm rabbit ventilators. So we started doing that and were measuring tidal volumes and lung mechanics. This got me into the physiology and got me hooked on the physiology, which we actually weren't doing in the babies at that time. But you can tell a

baby's lung compliance by doing a physical exam to look at O₂ saturation monitor. So, you know, you can do these things even in babies. Now they're doing this in a much more sophisticated ways, but you can get that information. So that's how I moved from the laboratory to the physiology. And I must say the physiology. I mean, if you want to save lives, what you have to do is examine babies and match your therapy to their physiology, and don't worry about all the other stuff.

SA: Around that time, George Gregory's work with CPAP was coming around. Do you remember when that came out and how that influenced your approach to RDS management?

AJ: It was first published in 1972, I believe, and the interesting thing about that is- and I just gave a talk on the history of neonatal respiratory therapy- is that Gregory got his idea from a paper in *Pediatrics* from 1968 from South Africa, where they were intubating babies with RDS. They found that if you intubate a baby with RDS, their oxygenation decreases and their grunt disappears, which isn't surprising. And if you extubate them, their grunt comes back and their oxygenation improves. So from these observations, they just empirically made the observation that CPAP might be a way to go. And Gregory used that idea, and in an era when there wasn't such a thing as human use and experimental protocols, he just went down to the NICU at UCSF and used CPAP on babies.

I've actually interviewed George Gregory, who is a real interesting character, and CPAP was a major breakthrough- probably the most important breakthrough to improve oxygenation in babies. It causes less grief than ventilators - that's for sure. I'm not convinced that the fancy new ventilators and approach to ventilation has done anything very much, that you couldn't do with CPAP. In the early days, we would use tube CPAP and go up to 15 centimeters of water pressure, a sort of "super CPAP" like they use in ARDS patients. The problem in newborns was that you can get pneumothorax, but you can treat those with a chest tube if you can get the kid to oxygenate. So, at any rate that's how I got into that track. From there, I got interested in lung injury with oxygen and mechanical ventilation. So, we did lots of animal models in rabbits and in sheep looking at injury mechanisms in the premature lung.

I went from surfactant treatment, much of my early research was funded by Ross labs, who was at the time, was working with Survanta. We did the metabolic studies for FDA-approval of surfactant, which was very satisfying. So I've been in the right place at the right time. I was at the ground floor of surfactant research. I was at the ground floor for studies mechanical injury to the preterm lung with ventilators, and I've been on the ground floor with antenatal steroids more recently. So, these are three major interventions in neonatology that might be considered The Silver Bullet in Neonatal Care, and I was fortunate to be able to participate in the early days of research with all these key interventions.

SA: I think what you also brought to the field is the ability to integrate all these strategies and to try to figure out where these pieces fit together to really to tackle the physiology better, to better understand disease and provide better care.

AJ: My PhD training was really important because that taught me how to do science. Just as an aside, Lou Gluck was Professor of Neonatology at UC San Diego, where I trained as a fellow. He had this

view that if he had an “N of one,” he had the answer. So that's why he pushed early PDA ligation, which was clearly a bad idea, but this was because he had a couple of babies who did well when PDA was ligated, but that's not how you do science. So we always worked on having adequate numbers and simple statistics. My view on statistics is if you can't see the result, it's probably not a very important result even today. I think that's true. I mean, you can over manipulate data and if you can't see the results in the experimental data, then I think you have to question the importance of the results.

SA : That's right. So when you started your career as faculty, you were at Harbor-UCLA and very early on, became Director of the NICU. What was unique in that environment that stimulated and supported your career in academic pediatrics?

AJ: Harbor UCLA in the '70s was a remarkable place. Joe St. Geme was Chair of Pediatrics then, and as you know, he later became the Dean at the University of Colorado. Mike Kubak was there and he's the one that discovered how to deal with Tay-Sachs disease. David Rimoin was there, who did a lot of work on collagen and dwarfs, as well as Larry Shapiro who worked on sulfatase deficiency, it was a remarkable place for genetics. George Emmanouillides was there in Cardiology, as well as Del Fisher, who basically described how the thyroid axis works in the fetus and the newborn which led to thyroid screening. Dr. Fisher was one of my major mentors in terms of science and getting things done because he basically said, “if you don't understand the physiology, you don't understand the disease and you can't do anything about it.” He basically took the position that you can use animal models to understand disease, and he used sheep to understand the thyroid axis, and from that he could develop ways to screen for thyroid disease in humans. He was a pediatric endocrinologist, and is in his nineties now. I see him about once a year. But he was really formative to make me do things the right way. When I was starting in my own lab at Harbor, UCLA Harbor was an amazing place. Supposedly based on board scores, we had the best residency training program in the country at one time, it was a small training program with 10 individuals. I had some remarkable residents, like Nate Cooperman, who is in the National Academy and now at UC Davis.

I had a bunch of really good neonatal fellows, including Harris Jacobs and Steve Seidner, who did very well and were really good at pushing me to think outside the box. I also worked with Machiko Ikegami who was an anesthesiologist and stimulated my physiology a lot with the sheep model, which was already set up at Harbor, UCLA for Dell Fisher who was working with sheep on the thyroid axis. So we partnered with him for some of initial studies. And then one of his fellows was an OB guy at Cedars named John Newnham from Western Australia. I met John and after 20 years, I was asked to come down there as a visiting professor where I reconnected with John where he was trying to do studies in Perth with no support and no infrastructure, doing ultrasound and amniocentesis in sheep models, which was not going very well. So we cooked up an experiment where we would treat sheep using intra amniotic steroids and then study lung maturation. So we did that. We met one year and the next year I just went down there and did the experiment. I've worked down there now for about 35 years. In fact, my team is actually doing sheep studies that I helped design right now in Perth, but we can't get there because of COVID and the country's closed to travelers. So I had a remarkable run with the great colleagues in Australia, which has generated over a hundred papers.

SA: Can I ask you right around that window when you started thinking about antenatal steroids, a lot of that, of course, was based on Monte Liggins' early findings. How did that stimulate the evolution in your own thinking as you began to consider antenatal steroids with the other strategies you were studying?

AJ: Well, we were interested in lung maturation, so we were using steroids and doing studies with steroids that differed from how they were being used clinically. Monte had done infusion experiments in fetuses, so I e-mailed Monte and asked him why we couldn't just give the steroids to the ewe like we do to the mother, because we know that he had problems with preterm delivery when steroids are given to sheep. And he gave me advice about how to use the steroids to the ewe to be able to get away with that strategy, and that worked. I visited him down there (in New Zealand) and he was a delightful guy, and I have a story about him too, which I'll tell. During one of the early Hot Topics meetings that Jerry Lucey was organizing on surfactant, Monte was invited and came to the U S, I believe for one of the last times he came to the US before he died. Jerry asked me to pick him up for dinner to bring him to this club and then keep track of him because he was an old guy at that time. So I pick him up and it was one of those really cold days in Washington, DC in December, where it was wet, cold and the wind was blowing. When he came down to be picked up, he just had a sport coat on, and I said, "Monte, you'll freeze to death." And he said, "no, I won't. I just got back from studying diving seals in Antarctica." So, he had adjusted, and we had a lovely evening. We went to the Jazz club the "Blue Note" and saw Winton Marsalis. Wow. That was a spectacular evening organized by Jerry Lucey. Jerry used to do those sorts of things. I miss Jerry.

Monte helped me out with the sheep, and then we just kept working down under and then it became clear to us about 10 years ago that we were using the wrong dose of the wrong drug. and we've been doing it for 40 years because when you look back over the history of antenatal steroids, this is an unapproved FDA treatment that has been used for 40 years with no pharmacology ever being done about dose and how to minimize risk of treatment for women at risk of preterm delivery. So I got on that as an idea that needed to be fixed.

When Obama was President, they had a grant mechanism called the "Challenge Grants," so I wrote up this project for that, and it actually got a really good score, but it didn't get funded. Then about five years ago, I was interacting with Jeff Murray at the Gates Foundation, who was interested in antenatal steroids because it's the WHO's number one priority in low resource environments for decreasing mortality in preterm infants. I told him they were using the wrong dose of the wrong drug. So he said, "well, send me a proposal." So the Gates Foundation funded a sheep model study and then a monkey model study. We started doing fetal sheep experiments in Australia trying to find the minimum dose and found that the minimum dose is about one 10th of the dose we're presently using. The dose we are presently using in women is 24 milligrams of dexamethasone or betamethasone. That's even more than they use when they're trying to salvage COVID patients with Dex.

So it's clearly the wrong dose. With that funding, we did that in sheep and moved on to rhesus macaques with work with colleagues at UC Davis and showed that we could get maturation at much about the same low doses. I was then funded by Gates to go to India to do a very large clinical PK-PD

study in non-pregnant reproductive age women and found out how potentially toxic the present dose is. So, when you give a woman the clinical dose of these steroids, she's gets adrenal suppression for a week, right? When she's sitting in the hospital in preterm labor, she probably needs some stress support from steroids. So I think that's something that people don't realize. Furthermore, the betamethasone acetate component of the drug, we are using a depot slow-release form of betamethasone, it's in the maternal circulation for two weeks, as we measured in the maternal circulation. Thus, the fetus is exposed to steroids for a long period if preterm delivery does not occur.

So, the fetus has being exposed for weeks to really potent drugs. So if you're interested in developmental origins of a disease, what do you use as a model? You use steroids because it predictably interferes with all sorts of pathways and re-programs the fetus. So we're doing this to fetuses- giving a drug that is unregulated and unapproved by the FDA. There's an FDA meeting about this in October, which is a ZOOM meeting. Unfortunately, I'd like to go there and harangue them about this, but at any rate, my final contribution, I hope is getting women on the appropriate dose of antenatal steroids. And the good news is that the WHO and Gates Foundation are planning to fund a low dose trial, that is being designed presently. So, it's remarkably satisfying to have a concept, take it to animal models and have it converted to a 13,000 patient randomized controlled trial funded by somebody else. I don't have to get the funding for that. There's no way I could get the funding for that myself. So anyway, that's that, that will be the end of my career when I get that solved. Yeah.

SA: Another important topic is where are we going as an academic medical community in terms of how we train and teach and help develop the next generation of Alan Jobses? You talked about the bedside differences in management over the decades and how many aspect of training and research exposure have changed over time. You describe your remarkable experiences and exposures to such talented people from multiple disciplines, each of whom enriched your career and many successful impacts on neonatal lung disease. Where are we at now to re-grow this pool of clinician-scientists, who can re-engage and blend science with our clinical teaching and care?

AJ: From my perspective, there are a number of real difficult issues. One problem is that as we continue to search to try to support the smallest and most immature fetuses is not an achievable goal at some point. Much of that is misguided because our outcomes stink in the tiniest babies and it's very expensive and I'm not sure it's worth the effort. It is true that you'll never learn how to take care of these kids unless you try. But Bill Silverman, the ethicist at the heart of neonatology, addressed this 30 years ago by recognizing that when we take care of these kids, we at the margin of viability are doing experiments and that we ought to get IRB approval and parents' signatures to experiment on their babies. If we're going to take care of a 22 weeker, it's just an experiment, and we're not actually doing clinical care that is evidence based.

So I think that's something to think about and to worry about. The other thing is that if you're a neuroscientist today, what you believe everything about abnormal humans behavior is chemistry, right? So everything that happens in the brain is chemistry. So if you have a problem the solution is to figure out the mechanism. Eduardo Bancalari and I just put together a little one-page piece for Journal of Pediatrics with the thought that I'm sure you agree with, that it's misguided to try to

define BPD. We're all struggling with the definition of BPD because what we do is exclude all the babies, we call normal, but those normal kids, those 500-gram babies who were not on oxygen at 36 weeks, all have abnormal lungs at term and many have lungs with an abnormal growth trajectory in early adulthood toward the development of COPD. This presumably is related to programming and the developmental biology of the maturation of the organ systems. These kids also have abnormal hearts, abnormal kidneys, abnormal blood pressure. So if we knew what was causing those abnormalities in terms of the developmental pathways, perhaps we could do something about them and prevent these late sequelae. So we're actually generating a large number of babies who are surviving but are going to have a lot of trouble down the line. There was an editorial recently in *Chest* by adult pulmonologists who suggested that these tiny preterm infants should have a cardiologist, a pulmonologist, and a nephrologist as physicians, by the time they reached 20, because many will have problems and they need to be followed up.

If you read the textbooks today, you'll see, it says that antenatal steroids induce surfactant, right? but that's wrong, it's very wrong. Antenatal steroids don't do anything to the type 2 cells. Although, there are receptors on the alveolar type 2 cell for steroids, but steroids modulate their mRNA expression, but not in a way that's specific for maturation, and there's research being done by Jim Bridges at the University of Colorado, who is now looking at the interstitial cells in the lung, and it appears that the fibroblast lineages in the developing lung actually are the targets for antenatal steroids. they generate the lineages that become alveolar type 1 and type 2 cells and then you get surfactant once you get that accomplished. So actually the molecular biology of lung maturation is much more complicated than we have appreciated. We understand presently it has to do with interstitial cell maturation. And I guess just as an aside, we knew that many years ago, because when we treated sheep with steroids, we got maturation of lung function within 12 hours or 24 hours, yet surfactant did not increase for five days. So, clearly, I believe that something else is going on at the structural level of the lung. We just missed that in terms of understanding that what we were seeing is that lung maturation was really primarily initially a structural phenomenon in the lung, probably resulting from selective apoptosis, which was giving you a bigger total lung capacity before you ever increase production of surfactant.

One of my final goals hopefully is that we have been working for years on two pathways to maturation of the neonatal lung that are clinically relevant. One is antenatal steroids. The other is lung exposure of the fetus to inflammation, which is a more potent and more consistent maturational signal than the antenatal steroids. So, my dream is that there's a convergence of pathways someplace in this process of interstitial cell maturation, where a single simple molecule can trigger maturation much more effectively with far less collateral damage than steroids. And then we can get off steroids and that we'll have a single pathway to maturation that incorporates both the steroid signaling and the inflammatory signaling. So, I don't know if that actually exists well, time will tell, but we're getting close to having that answer.

SA: Yes, and in addition, there is certainly a lot of very exciting work regarding progenitor cells which is evolving as playing key physiologic roles as well as becoming potential therapies.

AJ: It's all part of the same, same story. Yeah.

SA: That's exciting. So, getting back to what you and Eduardo just wrote, for example, how should we think about BPD definitions in a pragmatic way, in terms of how do we make care easier in the NICU or do what we can to modulate specific outcomes of our premature babies, short of knowing how they're going to look 10, 20, or 30 years down the road? how does one organize a clinical trial that can have clinical impact in this space?

AJ: Well, the growth industry in BPD now, as you know, as in Colorado, at CHOP and at CCHMC, is to essentially develop a severe BPD clinical unit for these chronically sick babies and try to then develop multi-disciplinary strategies for how you can try to understand their physiology. Their physiology is incredibly complicated, and we have a guy named Jason Woods at CCHMC here who is using the lung MRI unit that is in the NICU to try to image their lungs and find out what the variability of the injury is. And by understanding what the lungs looks like, maybe give us insight into how to ventilate them. It's not going to be practical to do MRI on all our babies that might have BPD. The way I see technology progressing that may be practical because companies will try to sell all the NICU and MRI use for their babies.

I'm sure that's happening right now. I see ads for it already, but I think the problem with it is actually understanding it at the level that Jason Woods does. You need to have a physicist on your staff who can fine tune this to make it into something useful. Maybe doing three dimensional reconstructions of the airways can be done by most anybody with the appropriate software, but much of the other stuff has to be fiddled with at a very sophisticated level to get the pixilation right so you can actually see what you need to see. So I don't think we can, I don't, I know people are trying to, but I don't think we can make a better surfactant. I mean, I think that commercial products are so effective in terms of improving oxygenation. I don't think we can make a better surfactant that's going to prevent BPD. I don't think the surfactant treatments are causing BPD. So, while people are trying to do that, improve surfactants, the problem with surfactant is that we need to have a cheap surfactant that is stable and can be used in the developing world. That's just not realistic now. And then you use CPAP, so you treat a baby with surfactant and then use CPAP and you do not need a ventilator in the developing world. The ventilator is just a recipe for disaster because first, they won't work without maintenance. They won't be sterile. And people won't be able to do blood gases to know what's actually going on. So the major advances that need to be made in terms of saving lives is not in Denver or Cincinnati or Philadelphia, but it's in the developing world. We need to get COVID under control, and then we can return to the other issues. I mean, I'm really impressed. I recently read in *Lancet* that there are now several vaccines that seem to be working for malaria, which is a major advance in the developing world. So, people are beginning to understand how you can make RNA vaccines that may turn out to be a major plus for a lot of diseases in the developing world where the infections disease still frequently kill infants.

SA: So any rate, so Alan, throughout your career, you have emphasized the importance of linking science, clinical care, FDA, government funding and private foundations and pharmaceuticals. How does one in academic medicine best navigate this or bring these worlds together to really get to where you're suggesting we need to go to truly impact the adverse effects of prematurity worldwide.

AJ: Well, that's, of course the big issue. You can prevent everything by preventing prematurity, but there's been no progress on that. The estrogen that they're giving now, there's pretty good data on that it is not very effective. So at the end of the day, we don't have any therapy for prematurity, particularly in the developing world. It's hard to see how we're going to make any progress until we understand prematurity in our environment. The major charities have worked together in the past few years, Burroughs Wellcome, Gates, and the March of Dimes have programs to work on causes of prematurity. But to my knowledge, none of that has been very productive yet.

SA: I also wanted to touch base with you on the huge issues that have been on unmasked by COVID in terms of racial disparities, health inequities, and related issues, which really reminds us, as you mentioned, of the perinatal origins of disease, including allostatic load, multiple antenatal stressors and environmental factors from pollutants, especially cigarettes.

AJ: The thing that is most interesting about cigarettes to me is if you look at pre conceptional exposure, conceptional exposure, and postnatal exposure, the only one that tracks across all those domains is cigarettes.

SA: That's right. I think I think that's been consistent from your work with the NIH PROP study and other cohorts, but that's one early signal that you can help identify who's at risk for late respiratory complications even beyond the label of BPD. Exposure to maternal smoking is probably the tip of the iceberg for so many other adverse exposures.

AJ: Like alcohol and drug use and other things. I'm not a sociologist and I'm primarily interested in molecules and pathways, so I'm not very philosophically engaged in the whole issue of breastfeeding, psychosocial well-being and all this sort of stuff. I must say, though, that I think that there's pretty good evidence. There's a lot of people around the world that are wringing their hands because they see in Europe and in the U S that all the new neonatal units are single room neonatal units, which I think have as many upsides as they have downsides. So when I talked to people from medically disadvantaged countries like I did in Salzburg, about two weeks ago from all over the world who don't have the resources, I tell them "don't use your resources building single bed and ICUs. Rather use your resources, your care strategies, your nurses, and your ventilators, and you know, that kind of stuff. Don't spend millions of dollars building a care strategy that you can't staff, because you don't have the nurses.

SA: You walk in the room, you can't see which bed has the crash cart next to it.

AJ: Tucked away. You can't. And you walk in the room, you can't see the baby. And we are building a new single bed NICU nursery here at CCHMC, but we have a guy named Richard Lang who is a vision molecular biologist. He has interesting data on which opsins regulate eye development. He has a paper in PNAS commenting on myopia. Many preterm infants end up having myopia and you can prevent myopia in mice by exposing the eye to a particular wavelength of light. So, our new single room NICU, we will have tuned light in wave lights to test the hypothesis that myopia is preventable. I think it's completely misguided to put babies in darkness. We know what happened if you did that in Romania in the orphanages people become blind. This whole issue of developmental care of

putting babies in isolation so that they can't move very much, and they are in the dark and they don't hear the human voice is anti-developmental. Each of those things is against what developmental biology tells us to do. So I think we can, I think one thing that happens too often is that nurses are doing the best they can, but what they do is they think what the baby would like. So they think the baby would like quiet and not moving around all the time. I don't know if that's an exaggeration or not, but they hear sound a lot from the mother. So I think isolating these babies from sensory stimulation is actually a really bad idea. That's contra to the concepts of development biology.

So anyway, the world's pretty messed up right now. We have to get COVID under control and we have to get our own country under control. And then we have to attack prematurity at the mechanistic level as best we can, yet we don't really know how to do that. I think we also have to accept limitations of what's possible in terms of human biology. I mean, there is a limit below which you're not going to be able to support an infant. And the other thing is that we know that human reproduction is actually really wasteful. Most of the early terminations have chromosome anomalies and a lot of the late terminations may also have associated abnormalities. Some of these losses probably shouldn't be prevented. So is fetal surgery going to decrease infant mortality? No, it's not going to occur frequently enough to get us off the hook for most of those things. The fetal surgery stuff is interesting and it's worth pursuing as an intellectual challenge, but it's not going to change our overall infant mortality, right?

SA: Alan, you've provided a lot of wonderful insights of the future and what it could look like and certainly understanding the past and the evolution enriches our understanding of how we could get better as we move forward as the template for the future. I want to really end by talking about where we're going with the next generation of trainees and scientists to solve these problems in an integrated world of the best of medical care. And so, how should we think now about renovating our training programs, our subspecialties in ways that could really enrich the bigger goals of solving these big problems? Is there something philosophically or something you think we could do right now to be good to challenge these things?

AJ: I think the base problem here is that most of the people coming for neonatal fellowship that I've seen in the last 10 years are not interested in science. They're interested in touchy-feely things of how the parents are responding and what the baby's doing and stuff like that, so they're interested in doing clinical trials of those things. It's not that those things shouldn't be done. It's just that the clinical trials are usually bad and underpowered clinical trials aren't a good way for fellows to build an academic career with a scientific edge to it. I'm not sure how you get people to be trained in science sufficiently to make a contribution at basic mechanisms and lung development or heart development or whatever to be able to make a contribution and compete with the PhDs who are doing similar work. I just sort of think that's perhaps not realistic now, I don't know.

SA: Yes. I wonder if academic medical centers have the resources to sustain careers in basic science to promote that aspect which becomes yet another barrier to so many of our junior scientists. There seems to be a growing emphasis in these other career paths that aren't used as, as challenging, or as in terms of risk risky for sustaining successful careers, challenging intellectually in many ways and rewarding in different ways. But the barriers we seem to throw in the paths of those who really want to blend science in the laboratory with what they're doing clinically has become immense

AJ: Well, everything's time limited. These people don't have enough time to develop the skills they need to compete at an effective level. I mean, I did a PhD and I don't know where you got your science training, but you just probably picked it up.

SA: Another long story in its own right. I was a late bloomer. I had never even imagined the excitement and creativity of science, especially as related to the rewards of understanding and applying science to patient care. I think that you really embody the problem-solving skills that link bench and bedside, and what it takes to really go after vital clinical problems that need to be solved, which takes a diversity of talents and backgrounds to be successful. Right?

AJ: **Absolutely.**

SA: Well, Alan, it's been an absolute pleasure to have some time to catch up a bit and talk. I really appreciate having the opportunity to discuss your career and many contributions to academic medicine, which have improved the outcomes of preterm infants throughout the world. I know that that this discussion will be very well received by the AAP and will enrich their archives. Thank you very much.

CURRICULUM VITAE
ALAN H. JOBE, M.D., Ph.D.

UNIVERSITY ADDRESS: Children's Hospital Medical Center
Division of Pulmonary Biology
3333 Burnet Avenue
Cincinnati, Ohio 45229-3039

EDUCATION:

1963 - 1967	Stanford University Biology	B.A. - Biology
1969 - 1973	University of California, San Diego	M.D.
1967 - 1973	University of California, San Diego	Ph.D. - Cell Biology
1973 - 1974	University Hospital, University of California, San Diego	Pediatric Internship
1974 - 1975	University Hospital of California, San Diego	Pediatric Residency
1975 - 1977	University of California, San Diego Division of Perinatal Medicine	Neonatology Fellowship

PROFESSIONAL APPOINTMENTS:

07/1977 - 06/1980	Assistant Professor of Pediatrics Harbor-UCLA Medical Center
07/1980 - 06/1983	Associate Professor of Pediatrics Harbor-UCLA Medical Center
07/1980 - 06/1986	Director, Neonatal Intensive Care Unit Director, Pulmonary Research Laboratory Harbor-UCLA Medical Center
07/1983 - 06/1997	Professor of Pediatrics Harbor-UCLA Medical Center
07/1991 - 06/1997	Director of Perinatal Research Laboratories and Walter P. Martin Research Center
07/1995 - 06/1997	Joseph W. St. Geme, Jr. Professor of Pediatrics UCLA School of Medicine
07/1997 - Present	Professor of Pediatrics University of Cincinnati
07/2016 - 12/1/2017	Consultant for Maternal and Infant Mortality for Bill and Melinda Gates Foundation

PROFESSIONAL SOCIETIES & POSITIONS:

1977	Western Society for Pediatric Research, Council of WSPR, 1990-1993
1979	Society for Pediatric Research, Council Member, 1984-1987 Vice President, 1987-1988, President Elect, 1988-1989, President, 1989-1990
1980	American Academy of Pediatrics
1982 - 1985	American Thoracic Society, Program Committee
1986 - 1987	Chairman - Pediatrics Assembly, ATS
1989 - 1993	Member - ATS Pediatric Pulmonary Long Range Planning Committee,
1992 - 1995	Chairman - ATS Research Fellowship Review Committee
1993 - 1995	Member - ATS Research Coordinating Committee
2003 - 2005	Member - ATS Publications Policy Committee
2007 - 2008	Member - ATS Publications Policy Committee
1986	American Society for Clinical Investigation
1988	American Pediatric Society
1989	President - Faculty Society; Harbor-UCLA Medical Center

PROFESSIONAL SOCIETIES & POSITIONS (continued):

1993 - 1994	Secretary - Board of International Pediatric Research Foundation
1993 - 1998	Member - Clinical Research Grant Review Committee for the March of Dimes
2002 - 2008	Review Committee for Basil O'Connor grants for March of Dimes
2003 - 2009	American Pediatric Society, Secretary-Treasurer

LICENSE AND BOARD CERTIFICATIONS:

1978 California License - G 2788
 1978 Board Certified - Pediatrics - 021660
 1979 Board Certified - Neonatology - 706
 1997 Ohio License - 72418

APPOINTMENTS:

1983 - 1987 NIH Study Section-Human Embryology and Development
 1987 - Present NIH Reviewer for Program Projects, Grants, Special Projects
 1993 - 1994 Member - FDA Pulmonary-Allergy Drugs Advisory Committee
 1995 - 1996 Member - Maternal and Child Health Research Committee - NICHD
 1996 - 2005 Chair - Steering Committee for NIH-NICHD Neonatal Network
 1998 - 2003 Steering Committee for Perinatal and Developmental Medicine Symposium – Mead/Johnson Nutritionals
 2000 - 2004 Selection Committee – E. Mead Johnson Research Award
 1999 - 2002 American Board of Pediatrics – Sub-board of Neonatal-Perinatal Medicine
 2002 - 2004 Chair - Sub board of Neonatal-Perinatal Medicine, American Board of Pediatrics
 2004 - 2008 Member of NICHD Council
 2009 - 2016 Chairman, Steering Committee for NICHD Global Research Network

HONORS AND RESEARCH AWARDS:

1967 Honors in Biology, Stanford
 1967 Phi Beta Kappa, Stanford
 1980 Voted Best Teacher by Pediatric House staff, Harbor-UCLA Medical Center
 1982 Richard E. Weitzman Award in Research, Harbor-UCLA Faculty Society
 1984 Ross Award in Research, Western Society for Pediatric Research
 1986 E. Mead Johnson Award for Research in Pediatrics, American Academy of Pediatrics
 1986 Voted Best Teacher by Pediatric House staff, Harbor-UCLA Medical Center
 1999, 2000 Mead Johnson Excellence in Teaching Awards – CHMC
 2002 Awarded Arvo Ylppo Medal – Pediatric Academic Societies of Finland
 2005 George Simbruner Lecture Award at New Frontiers in Neonatology
 2007 Elected to Institute of Medicine USA - National Academy of Medicine
 2007 - Current Clinical Professor - University of Western Australia
 2009 William Silverman Lectureship, American Academy of Pediatrics
 2010 Thomas Hazinski Distinguished Service Award from Society for Pediatric Research
 2011 Legend for Service to Harbor UCLA/LA Biomed
 2011 Virginia Apgar Award from AAP
 2012 Founders Award from Midwestern Society for Pediatric Research
 2017 Legend in Neonatology - Neo Conference
 2017 Mary Ellen Avery National Research Award - American Pediatric Society and Society for Pediatric Research
 2018 Legend of Respiratory Care - American Association of Respiratory Care

EDITORIAL APPOINTMENTS:

1984 - 1988 Associate Editor, Pediatric Research
 1997 - 2015 Associate Editor, J. Pediatrics
 1986 - 2005 Editorial Board, Biology of the Neonate
 1992 - 1994 Editorial Board, Journal of Applied Physiology
 1993 - Present Editorial Board, American Journal of Respiratory and Critical Care Medicine
 1994 - 1998 Editorial Board, American Journal of Physiology-Lung Cellular and Molecular Physiology
 1995 - 1997 Editorial Board, Pediatrics
 2016 - Present Editorial Board, J. Pediatrics

RESEARCH GRANTS:

1975 - 1977 Individual Postdoctoral Fellowship
 1975 - 1977 Young Investigator Pulmonary Research Grant, PI
 07/1978 - 06/1979 California Lung Association Grant - Surfactant Secretion, PI
 09/1979 - 08/1981 March of Dimes, Birth Defects Foundation - Surfactant Turnover in Premature and Term Newborn Lambs, PI

07/1978 - 07/1983 NIH-NICHD Research Career Development Award
 10/1986 - 01/1990 Metabolism of Surfactant-TA; Ross Labs
 01/1987 - 07/1989 Clearance of Liposomes from lungs: Liposome Technology Inc.
 10/1977 - 07/2003 NIH-NICHD Research Grant - Lung Phospholipid Appearance and Stability, PI
 10/1985 - 12/1996 NIH-NICHD Research Grant - Corticosteroid and Thyroid Effects on Lung Maturation, PI to 1993; Co-Investigator subsequently
 04/1993 - 03/1998 NIH-NICHD PERC - New Strategies for Fetal Maturation, Program Director
 09/1993 - 09/1998 NIH-HL PI of sub-project of Center for Gene Therapy for CF and other Lung Diseases (Jeffrey Whitsett, Program Director)
 07/1994 - 06/1999 NIH-NHLBI PI of R10 Project - Fetal Maturation of the Baboon as part of Resource Center Grant (Jackie Coalson, Program Director)
 04/1979 - 03/2000 NIH-NICHD Research Grant - Developmental Lung Phospholipid Metabolism - PI
 07/1999 - 06/2004 NHLBI Program Project – Surfactant Homeostasis in Health and Disease – PI and project leader for Project 1
 08/ 1999 - 06/2004 NHLBI Role of Surfactant Protein D in Surfactant Homeostasis – Co-Investigator
 09/ 2000 - 08/2008 NHLBI RO1 – New Mediators of Lung Maturation PI
 12/ 2000 - 11/2010 NICHD RO1 Antecedents to Lung Injury in the Preterm – PI
 07/ 2001 - 06/2006 NICHD Neonatal Training Grant – PI
 07/ 2002 - 06/2007 NICHD Pediatric Departmental Training Grant to CHMCC - Program Director
 07/ 2006 - 06/2008 NIAID-R21 Postnatal Consequences of Fetal Inflammation, PI
 03/ 2006 - 04/2011 R01-HD 012714 – Neonatal Resuscitation and Preterm Lung Injury, PI
 02/ 2009 - 02/2013 R01-HD 057869 – Mechanism of Fetal Inflammatory Response Syndrome Induced by Chorioamnionitis, PI – Kallapur, Investigator, Jobe
 09/2009 - 07/2013 R01-HL 097064 – Late Preterm Birth, Ureaplasma Species and Childhood Lung Disease, Co-PI's Jobe and Kallapur
 10/2010 - 07/2014 Consultant (5% salary) to Mandate Contract to Research Technologies, Inc. from Bill and Melinda Gates Foundation to quantify causes of Infant Mortality and Identity Technologic Solutions in Developing World.
 04/2010 - 03/2015 U10-HL101800 – Prematurity and Respiratory Outcomes Program, Co-PI's Jobe & Chougnet (Extended to 2016)
 09/2013 - 08/2016 Burroughs-Wellcome Fund: Chougnet PI, Jobe Co-PI, Host: Microbial Cross – Talk and Pregnancy Outcomes.
 07/2012 - 06/2017 R01-HD072842 – Initiation and Progression of Preterm Lung Injury with Ventilation. PI – Jobe

CURRENT RESEARCH GRANTS:

12/2015-04/30/19 OPP1132910 – Bill & Melinda Gates Foundation.
 Antenatal Steroid Treatments in Low Resource Countries. PI - Jobe
 02/2018-07/31/19 OPP1189571 - Bill and Melinda Gates Foundation
 Dosing of Antenatal Steroids - Phase 1 in Nonpregnant Females

ORIGINAL ARTICLES

1. Jobe, A. A study of morphologic variation in the limpet Acmaea Pelta. The Veliger 11:69, 1968.
2. Shulman, H.M. and Jobe, A. The inhibition of heme and globin synthesis by cobalt in rabbit reticulocytes and bone marrow. Biochim. Biophys. Acta. 169:241, 1968.
3. Schubert, D.A., Jobe, A., and Cohn M. Mouse myelomas producing precipitating antibodies to nuclei acid bases and/or nitrophenyl derivates. Nature 220:882, 1968.
4. Bourgeois, S. and Jobe, A. Super repressors of the lac Operon, in the Lactose Operon, eds. J. Beckwith and D. Zipser. Cold Spring Harbor 325, 1970.
5. Jobe, A. Medical students bring monthly care to isolated Baja California. California's Health 29:6, 1971.
6. Jobe, A., Riggs, A.D., and Bourgeois, S. Characterization of super and pseudo-wild type repressors. J. Mol. Biol. 64:181, 1972.
7. Jobe, A. and Bourgeois, S. The natural inducer of the lac Operon. J. Mol. Biol. 69:397, 1972.
8. Jobe, A. and Bourgeois, S. A repressor with unique binding properties: The x 86 repressor. J. Mol. Biol. 72:139, 1972.
9. Jobe, A. and Bourgeois, S. Lactose is an anti-inducer of the lac operon. J. Mol. Biol. 75:303, 1973.
10. Jobe, A., Sadler, J.R., and Bourgeois S. The binding of lac repressor to operators containing O^C mutations. J. Mol. Biol. 85:231, 1974.
11. Bourgeois S., Barkley, M.D., Jobe, A., Sadler, J.R., and Wang, J. Effect of alteration in lac operator DNA on repressor binding. Proceedings of Symposium on Protein-legand Interactions, Konstanz, H. Sund and G. Blauer, Eds., New York, pp. 253-269, 1974.
12. Barkley, M.D., Riggs, A.D., Jobe, A., and Bourgeois S. Effector ligand of the lac repressor. Biochemistry 14:1700, 1975.
13. Jobe, A. The labeling and biological half-life of phosphatidyl-choline in subcellular fractions of rabbit lung. Biochim. Biophys. Acta. 489:440-453, 1977.
14. Jobe, A., Kirkpatrick, E., and Gluck, L. Lecithin appearances and apparent biological half-life in term newborn rabbit lung. Pediatr. Res. 12:669-675, 1978.
15. Jobe, A., Kirkpatrick, E., and Gluck, L. Labeling of phospholipids in surfactant and subcellular fractions of rabbit lung. J. Biol. Chem. 253:3810-3816, 1978.
16. Jobe, A., Mannino, F, and Gluck, L. The labeling of phosphatidylcholine in the alveolar wash of rabbits in utero. Am. J. Obstet. Gynecol. 132:53-58, 1978.
17. Jobe, A. and Gluck, L: The labeling of lung phosphatidylcholine in premature rabbits at birth. Pediatr. Res. 13:635-640, 1979.
18. Jobe, A. An *in vivo* comparison of acetate and palmitate as precursors of surfactant phosphatidylcholine. Biochim. Biophys. Acta 572:404-412, 1979.
19. Jobe, A. Kinetics of the *in vivo* labeling of the acyl groups of rabbit lung phosphatidylcholine and desaturated phosphatidylcholine. Biochim. Biophys. Acta 574:268-279, 1979.
20. Jobe, A., Ikegami, M., and Nathanielsz, P.W. The labeling of pulmonary surfactant phosphatidylcholine in 19 to 31 day old lambs. J. Dev. Physiol. 1:245-259, 1979.

21. Jobe, A., Ikegami, M., and Sarton-Miller, I. The *in vivo* labeling with acetate and palmitate of lung phospholipids from developing and adult rabbits. *Biochim. Biophys. Acta* 617:65-75, 1980.
22. Jobe, A. Surfactant phospholipid metabolism in 3-day and 3-day post-mature rabbits *in vivo*. *Pediatr. Res.* 14:319-325, 1980.
23. Jobe, A., Ikegami, M., Sarton-Miller, I., and Barajas, L. Surfactant metabolism of newborn lamb lungs studied *in vivo*. *J. Appl. Physiol.* 49:1091-1098, 1980.
24. Jobe, A., Ikegami, M., Glatz, T., Yoshida, Y., Diakomanolis, E., and Padbury, J. The duration and characteristics of treatment of premature lambs with natural surfactant. *J. Clin. Invest.* 67:370-375, 1981.
25. Ikegami, M., Jobe, A., and Nathanielsz, P.W. The labeling of pulmonary surfactant phosphatidylcholine in newborn and adult sheep. *Exp. Lung Res.* 2:197-206, 1981.
26. Jobe, A., Ikegami, M., and Jacobs, H. Changes in the amount of lung and airway phosphatidylcholine in 0.5 to 12.5 day old rabbits. *Biochim. Biophys. Acta* 664:182-187, 1981.
27. Ikegami, M., Jobe, A., and Glatz, T. Surface activity following natural surfactant treatment of premature lambs. *J. Appl. Physiol.* 51:306-312, 1981.
28. Glatz, T., Ikegami, M., and Jobe, A. Metabolism of exogenously administered natural surfactant in the newborn lamb. *Pediatr. Res.* 16:711-715, 1982.
29. Ikegami, M., Jobe, A., Jacobs, H., and Jones, S. Sequential treatments of premature lambs with an artificial surfactant and natural surfactant. *J. Clin. Invest.* 68:491-496, 1981.
30. Jobe, A., Ikegami, M., Sarton-Miller, I., Jones, S., and Yu, G. Characterization of phospholipid and some phospholipid synthetic and subcellular marker enzymes in subcellular fractions from rabbit lung. *Biochim. Biophys. Acta* 666:47-57, 1981.
31. Ikegami, M. and Jobe, A. Phospholipid composition of fetal lung fluid and amniotic fluid during late gestation in sheep. *Am. J. Obstet. Gynecol.* 141:227-229, 1981.
32. Jacobs, H., Jobe, A., Ikegami, M., Glatz, T., Jones, S., and Barajas, L. Premature lambs rescued from respiratory failure with natural surfactant: Clinical and biophysical correlates. *Pediatr. Res.* 16:424-429, 1982.
33. Clyman, R., Jobe, A., Heymann, M., and Ikegami, M. PDA with surfactant replacement therapy. *J. Pediatr.* 100:101-107, 1982.
34. Jacobs, H., Jobe, A., Ikegami, M., and Jones, S. Surfactant phosphatidylcholine source, fluxes, and turnover times in 3 day old, 10 day old, and adult rabbits. *J. Biol. Chem.* 257:1805-1810, 1982.
35. Ikegami, M., Jacobs, H., and Jobe, A. Surfactant function in the respiratory distress syndrome. *J. Pediatr.* 102:443-447, 1983.
36. Jacobs, H., Jobe, A., and Ikegami, M. The significance of reutilization of surfactant phosphatidylcholine in 3-day-old rabbits. *J. Biol. Chem.* 258:4159-4165, 1983.
37. Baylen, B.G., Ogata, H., Ikegami, M., Jacobs, H.C., Jobe, A.H. and Emmanouilides, G.C. Left ventricular performance and regional blood flows before and after ductus arteriosus occlusion in premature lambs treated with surfactant. *Circulation* 67:837-843, 1983.
38. Jobe, A., Ikegami, M., Glatz, T., Yoshida, Y., Diakomanolis, E., and Padbury, J. Saturated phosphatidylcholine secretion and the effect of natural surfactant on premature and term lambs ventilated for 2 days. *Exp. Lung Res.* 4:259-267, 1983.

39. Jobe, A., Jacobs, H., Ikegami, M., and Jones, S. Cardiovascular effects of surfactant suspensions given by tracheal instillation to premature lambs. *Pediatr. Res.* 17:444-448, 1983.
40. Jobe, A., Ikegami, M., Jacobs, H., and Jones, S. Surfactant pool sizes and severity of RDS in prematurely delivered lambs. *Am. Rev. Respir. Dis.* 127:751-755, 1983.
41. Jobe, A., Ikegami, M., Jacobs, H., Jones, S., and Conaway, D. Permeability of premature lamb lungs to protein and the effect of surfactant on that permeability. *J. Appl. Physiol.* 55:169-176, 1983.
42. Jacobs, H., Jobe, A., Ikegami, M., Jones, S., and Miller, D. Route of incorporation of alveolar palmitate and choline into surfactant phosphatidylcholine in rabbits. *Biochim. Biophys. Acta* 752:178-181, 1983.
43. Jobe, A., Ikegami, M., Jacobs, H., and Jones, S. Surfactant and pulmonary blood flow distributions following treatment of premature lambs with natural surfactant. *J. Clin. Invest.* 73:848-856, 1984.
44. Jacobs, H., Jobe, A., Ikegami, M., Miller, D., and Jones, S. Reutilization of phosphatidylcholine analogues by the pulmonary surfactant system - the lack of specificity. *Biochim. Biophys. Acta* 793:300-309, 1984.
45. Ikegami, M., Jobe, A., Jacobs, H., and Lam, R. A protein from the airways of premature lambs that inhibits surfactant function. *J. Appl. Physiol.* 57:1134-1142, 1984.
46. Padbury, J.F., Jacobs, H.C., Lam, R.W., Conaway, D., Jobe, A.H., and Fisher, D.A. Adrenal epinephrine and the regulation of pulmonary surfactant release in neonatal rabbits. *Exp. Lung Res.* 7:177-186, 1984.
47. Jobe, A., Jacobs, H., and Ikegami, M. Lack of correlation of severity of lung disease with the phosphatidylcholine concentration in fetal lung fluid from premature lamb at 133-136 days gestational age. *J. Devel. Physiol.* 6:417-421, 1984.
48. Jobe, A., Jacobs, H., Ikegami, M., and Berry, D. Lung protein leaks in ventilated lambs: Effects of gestational age. *J. Appl. Physiol.* 58:1246-1251, 1985.
49. Ikegami, M., Jobe, A., and Duane, G. Liposomes of dipalmitoyl phosphatidylcholine associated with natural surfactant. *Biochim. Biophys. Acta* 835:352-359, 1985.
50. Jacobs, H.C., Jobe, A.H., Ikegami, M., and Jones, S. Reutilization of phosphatidylcholine and phosphatidylethanolamine by the pulmonary surfactant system in 3-day-old rabbits. *Biochim. Biophys. Acta* 834:171-179, 1985.
51. Jacobs, H., Jobe, A., Ikegami, M., and Jones, S. Accumulation of alveolar surfactant following delivery and ventilation of premature lambs. *Exp. Lung Res.* 8:125-140, 1985.
52. Oguchi, K., Ikegami, M., Jacobs, H., and Jobe, A. Clearance of large amounts of natural surfactant and DPPC from lungs of 3-day-old rabbits following tracheal injection. *Exp. Lung Res.* 9:221-235, 1985.
53. Jacobs, H.C., Ikegami, M., Jobe, A.H., Berry, D.D., and Jones, S. Reutilization of surfactant phosphatidylcholine in adult rabbits. *Biochim. Biophys. Acta* 837:77-84, 1985.
54. Baylen, B.G., Ogata, H., Ikegami, M., Jacobs, H., Jobe, A., and Emmanouilides, G.C. The contractile state and performance of the preterm left ventricle before and after early patent ductus arteriosus occlusion in surfactant treated lambs. *Pediatr. Res.* 19:1053-1058, 1985.
55. Robertson, B., Berry, D., Curstedt, T., Grossmann, G., Ikegami, M., Jacobs, H., Jobe, A., and Jones, S. Leakage of protein in the immature rabbit lung; effect of surfactant replacement. *Respir. Physiol.* 61:265-276, 1985.
56. Solimano, A., Bryan, C., Jobe, A., Ikegami, M., and Jacobs, H. High frequency oscillation and conventional mechanical ventilation cause similar protein leaks in the ventilated premature lamb lung. *J. Appl. Physiol.* 59:1571-1577, 1985.

57. Berry, D., Jobe, A., Jacobs, J., and Ikegami, M. Distribution of pulmonary blood flow in relation to atelectasis in premature ventilated lambs. *Am. Rev. Respir. Dis.* 132:500-503, 1985.
58. Jacobs, H.C., Berry, D.D., Duane, G., Ikegami, M., Jobe, A.H., and Jones, S. Normalization of arterial blood gases following treatment of surfactant deficient lambs with Tween 20. *Am. Rev. Respir. Dis.* 132:1313-1318, 1985.
59. Oguchi, J., Baylen, B.G., Ikegami, M., Jacobs, H., Berry, D., Jobe, A., and Emmanouilides, G.C. Hemodynamic effects of high frequency ventilation (HFV) in preterm lambs. *Biol. Neonate* 49:21-28, 1986.
60. Baylen, B.G., Ogata, H., Ikegami, M., Jacobs, H., Jobe, A. and Emmanouilides, G. Left ventricular performance and contractility before and after volume infusion: A comparative study of preterm and term newborn lambs. *Circulation* 73:1042-1049, 1986.
61. Ikegami, M., Jobe, A., and Berry, D. A protein that inhibits surfactant in respiratory distress syndrome. *Biol. Neonate* 50:121-129, 1986.
62. Pettenazzo, A., Oguchi, K., Seidner, S., Ikegami, M., Berry, D., and Jobe, A. Clearance of natural surfactant phosphatidylcholine from 3-day-old rabbit lungs: Effects of dose and species. *Pediatr. Res.* 20:1139-1142, 1986.
63. Berry, D., Ikegami, M., and Jobe, A. Respiratory distress and surfactant inhibition following vagotomy in rabbits. *J. Appl. Physiol.* 61:1741-1748, 1986.
64. Ikegami, M., Agata, Y., ElKady, T., Hallman, M., Berry, D., and Jobe, A. A comparison of four surfactants: *In vitro* surface properties and responses of preterm lambs to treatment at birth. *Pediatrics* 79:38-46, 1987.
65. Ikegami, M., Jobe, A., Berry, D., ElKady, T., Pettenazzo, A., and Seidner, S. Effects of distention of the preterm fetal lamb lung on lung function with ventilation. *Am. Rev. Respir. Dis.* 135:600-606, 1987.
66. Ikegami, M., Berry, D., ElKady, T., Pettenazzo, A., Seidner, S., and Jobe, A. Corticosteroids and surfactant change lung function and protein leaks in the lungs of ventilated premature rabbits. *J. Clin. Invest.* 79:1371-1378, 1987.
67. Ikegami, M., Jobe, A.H., Pettenazzo, A., Seidner, S.R., Berry, D.D., and Ruffini, L. Effect of maternal treatment with corticosteroids, T₃, TRH and their combinations on lung function of ventilated preterm rabbits with and without surfactant treatments. *Am. Rev. Respir. Dis.* 136:892-898, 1987.
68. ElKady, T. and Jobe, A. Corticosteroids and surfactant increase lung volumes and decrease rupture pressures of preterm rabbit lungs. *J. Appl. Physiol.* 63:1616-1621, 1987.
69. Pettenazzo, A., Ikegami, M., Seidner, S., and Jobe, A. Clearance of surfactant phosphatidylcholine from adult rabbit lungs. *J. Appl. Physiol.* 64:120-129, 1988.
70. Pettenazzo, A., Jobe, A., Ikegami, M., and Seidner, S. Clearance of treatment doses of surfactant: Effect of lipid extraction and aggregate sizes. *Biol. Neonate* 53:23-31, 1988.
71. ElKady, T., Jobe, A. Maternal treatments with corticosteroids and/or T₃ change lung volumes and rupture pressures in preterm rabbits. *Biol. Neonate* 54:203-210, 1988.
72. Seidner, S., Pettenazzo, A., Ikegami, M., and Jobe, A. Potentiation of dose response relationships of surfactant in preterm rabbits following maternal corticosteroid treatments. *J. Appl. Physiol.* 64:2366-2371, 1988.
73. Jobe, A., Ikegami, M., Seidner, S., Pettenazzo, A., and Ruffini, L. Surfactant phosphatidylcholine metabolism and surfactant function in preterm ventilated lambs. *Am. Rev. Respir. Dis.* 139:352-359, 1989.

74. Pettenazzo, A., Jobe, A., Humme, J., Seidner, S., and Ikegami, M. Clearance of surfactant phosphatidylcholine via the upper airways in adult rabbits. *J. Appl. Physiol.* 65:2151-2155, 1988.
75. Berry, D., Jobe, A., Ikegami, M., Seidner, S., Pettenazzo, A., and Elkady, T. Pulmonary effects of acute prenatal asphyxia in ventilated premature lambs. *J. Appl. Physiol.* 65:26-33, 1988.
76. Seidner, S., Jobe, A., Ikegami, M., Pettenazzo, A., Priestley, A., and Ruffini, L. Lysophosphatidylcholine uptake and metabolism in the adult rabbit lung. *Biochim. Biophys. Acta* 961:328-336, 1988.
77. Ikegami, M., Jobe, A., Seidner, S., and Yamada, T. Gestational effects of corticosteroids and surfactant in ventilated rabbits. *Pediatr. Res.* 25:32-37, 1989.
78. Robertson, B., Grossman, G., Jobe, A., Ikegami, M., Pettenazzo, A., and Seidner, S. Vascular to alveolar leak of iron dextran (120 kDa) in the immature ventilated rabbit lung. *Pediatr. Res.* 25:130-135, 1989.
79. Berry, D., Ikegami, M., and Jobe, A. Lung perfusion and Aerosol distributions in preterm ventilated lambs. *Pediatr. Pulm.* 6:147-152, 1989.
80. Ikegami, M., Jobe, A., Yamada, T., and Seidner, S. Relationship between alveolar saturated phosphatidylcholine pool sizes and compliance of preterm rabbit lungs: The effect of maternal corticosteroid treatment. *Am. Rev. Respir. Dis.* 139:367-369, 1989.
81. Pettenazzo, A., Jobe, A., Ikegami, M., Abra, R., Hogue, E., and Mihalko, P. Clearance of phosphatidylcholine and cholesterol from liposomes, liposomes loaded with metaproterenol, and rabbit surfactant from adult rabbit lungs. *Am. Rev. Respir. Dis.* 139:752-758, 1989.
82. Rider, E., Jobe, A., Yamada, T., Ikegami, M., Seidner, S., and Ruffini, L. Surfactant metabolism during hyperventilation of newborn lambs with atrial right to left shunts. *Pediatr. Res.* 25:83-88, 1989.
83. Ikegami, M., Jobe, A., Yamada, T., Priestley, A., Ruffini, L., Rider, E., and Seidner, S. Surfactant metabolism in surfactant treated preterm ventilated lambs. *J. Appl. Physiol.* 67:429-437, 1989.
84. Seidner, S., Jobe, A., Ruffini, L., Ikegami, M., and Pettenazzo, A. Recovery of treatment doses of surfactants from the lungs and vascular compartment of mechanically ventilated premature rabbits. *Pediatr. Res.* 25:423-428, 1989.
85. Lewis, J. and Jobe, A. Metabolism of intratracheally administered unsaturated phosphatidylcholine in adult rabbits. *Biochim. Biophys. Acta.* 1005:277-81, 1989.
86. Baylen, B.G., Agata, Y., Padbury, J.G., Ikegami, M., Jobe, A.H., and Emmanouilides, G.C. Hemodynamic and neuroendocrine adaptations of the preterm lamb left ventricle to acutely increased afterload. *Pediatr. Res.* 26:336-342, 1989.
87. Horbor, J.D., Soll, R.F., Sutherland, J., Kotagal, U., Philips, A.G.S., Kessler, K.L., Little, G., Edwards, W., Vidyasager, D., Raju, T.N.K., Jobe, A.H., Ikegami, M., Mullett, M.D., Myerber, I., McAuliffe, T.L., and Lucey, J.F. A multicenter randomized controlled trial of single dose surfactant therapy for respiratory distress syndrome. *New Engl. J. Med.* 320:959-965, 1989.
88. Pettenazzo, A., Jobe, A., Ikegami, M., Rider, E., Seidner, S., and Yamada, T. Cumulative effects of repeated surfactant treatments in the rabbit. *Exp. Lung Res.* 16:131-143, 1990.
89. Tabor, B., Ikegami, M., Yamada, T., and Jobe, A. Rapid clearance of surfactant associated palmitic acid from the lungs of developing and adult animals. *Ped. Res.* 27:268-273, 1990.
90. Rider, E., Jobe, A., Ikegami, M., Yamada, T., and Seidner, S. Antenatal betamethasone dose effects in 27 day gestation preterm rabbits. *J. Appl. Physiol.* 68:1134-1141, 1990.

91. Oetomo-Bambang, S., Lewis, J., Ikegami, M., and Jobe, A.H. Surfactant treatments alter endogenous surfactant metabolism in rabbit lungs. *J. Appl. Physiol.* 68:1590-1596, 1990.
92. Yamada, T., Ikegami, M., Tabor, B., and Jobe, A.H. Effects of surfactant protein-A on surfactant function in preterm ventilated rabbits. *Am. Rev. Respir. Dis.* 142:754-757, 1990.
93. Yamada, T., Ikegami, M., and Jobe, A. Effects of surfactant subfractions on preterm rabbit lung function. *Pediatr. Res.* 27:592-598, 1990.
94. Tabor, B.L., Ikegami, M., Jobe, A., Yamada, T., and Oetomo-Bambang, S. Dose response of thyrotropin releasing hormone on pulmonary maturation in corticosteroid treated preterm rabbits. *Am. J. Obst. Gynecol.* 163:669-676, 1990.
95. Lewis J.F., Ikegami, M., Jobe, A.H. Altered surfactant function and metabolism in rabbits with acute lung injury. *J. Appl. Physiol.* 69:2303-2310, 1990.
96. Rider, E., Ikegami, M., Jobe, A. Intrapulmonary catabolism of surfactant saturated phosphatidylcholine in rabbits. *J. Appl. Physiol.* 69:1856-1862, 1990.
97. Berry, D., Jobe, A., and Ikegami, M. Leakage of macromolecules in ventilated and unventilated segments of preterm lamb lung. *J. Appl. Physiol.* 70:423-429, 1991.
98. Tabor, B.L., Rider, E.L., Ikegami, M., Jobe, A.H., Lewis, J.F. Dose effects of antenatal corticosteroids for induction of lung maturation in preterm rabbits. *Am. J. Obstet. Gynecol.* 164:675-681, 1991.
99. Lewis, J.F., Ikegami, M., Jobe, A. and Tabor, B: Aerosolized surfactant treatment of preterm lambs. *J. Appl. Physiol.* 70:869-876, 1991.
100. Ikegami, M., Jobe, A., Tabor, B., Yamada, T. Size selectivity of lung protein accumulation in preterm ventilated lambs. *Biol. Neonate* 59:363-372, 1991.
101. Ikegami, M., Polk, D., Tabor, B., Lewis, J., Yamada, T., Jobe, A. Corticosteroid and thyrotropin-releasing hormone effects on preterm sheep lung function. *J. Appl. Physiol.* 70:2268-2278, 1991.
102. Lewis, J., Ikegami, M., Higuchi, R., Jobe, A., Absolom, D. Nebulized vs. instilled exogenous surfactant in an adult lung injury model. *J. Appl. Physiol.* 71:1270-1276, 1991.
103. Rider, E.D., Pinkerton, K.E., Jobe, A.H. Characterization of rabbit lung lysosomes and their role in surfactant dipalmitoyl phosphatidylcholine catabolism. *J. Biol. Chem.* 266:522-528, 1991.
104. Lewis, J.F., Ikegami, M., Jobe, A.H. Metabolism of exogenously administered surfactant in the acutely injured lungs of adult rabbits. *Am. Rev. Respir. Dis.* 145:19-23, 1992.
105. Ikegami, M., Jobe, A.H., Tabor, B.L., Rider, E.D., Lewis, J.F. Lung albumin recovery in surfactant treated preterm ventilated lambs. *Am. Rev. Respir. Dis.* 145:1005-1008, 1992.
106. Seidner, S., Rider, E., Jobe, A.H., Yamada, T., Ikegami, M., and Priestley, A. TRH, corticosteroid, and ventilatory effects on surfactant pools in premature rabbits. *Am. J. Obstet Gynecol.* 166:1551-1559, 1992.
107. Sun, B., Rider, E., Ikegami, M., Jobe, A. Antenatal ambroxol effects on surfactant pool size and postnatal lung function in preterm ventilated rabbits. *Biol. Neonate* 62:55-62, 1992.
108. Ikegami, M., Lewis, J.F., Tabor, B., Rider, E.D., Jobe, A.H. Surfactant protein A metabolism in preterm ventilated lambs. *Am. J. Physiol. (Lung)* 262:L765-L772, 1992.
109. Rider, E.D., Ikegami, M., Jobe, A.H. Localization of alveolar surfactant clearance in rabbit lung cells. *Am. J. Physiol. (Lung)* 263:L201-L209, 1992.

110. Tabor, B.L., Lewis, J.F., Ikegami, M., Jobe, A.H. Platelet activating factor antagonists decrease lung protein leak in preterm ventilated rabbits. *Am. J. Obstet. Gynecol.* 167:810-814, 1992.
111. Rider, E.D., Jobe, A.H., Ikegami, M., Sun, B. Different ventilation strategies alter surfactant responses in preterm rabbits. *J. Appl. Physiol.* 73:2089-2096, 1992.
112. Jobe, A.J., Mitchell, B.R., Gunkel, J.H. Beneficial effects of the combined use of prenatal corticosteroids and postnatal surfactant on preterm infants. *Am J. Obstet. Gynecol.* 168:508-513, 1993.
113. Pinkerton, K.E., Lewis, J., Mulder, A.M., Ikegami, M., Jobe, A.H. Surfactant treatment effects on alveolar type II cell morphology in adult rabbit lungs. *J. Appl. Physiol.* 74:1240-1247, 1993.
114. Sun, B., Jobe, A., Rider, E., Ikegami, M. Single versus two doses of betamethasone for lung maturation in preterm rabbits. *Pediatr. Res.* 33:256-260, 1993.
115. Lewis, J.F., Tabor, B., Ikegami, M., Jobe, A.H., Absolom, D. Lung function and surfactant distribution in saline lavaged sheep given instilled vs nebulized surfactant. *J. Appl. Physiol.* 74:1256-1264, 1993.
116. Rider, E.D., Ikegami, M., Whitsett, J.A., Hull, W., Absolom, D., Jobe, A.H. Treatment responses to surfactants containing natural surfactant proteins in preterm rabbits. *Am. Rev. Respir. Dis.* 147:669-676, 1993.
117. Lewis, J.F., Ikegami, M., Jobe, A.H., Absolom, D. Physiological responses and distribution of aerosolized surfactant in a nonuniform pattern of lung injury. *Am. Rev. Respir. Dis.* 147:1364-1370, 1993
118. Jobe, A.H., Polk, D., Ikegami, M., Newnham, J., Sly, P., Kohen, R., Kelly, R. Lung responses to ultrasound guided fetal treatments with corticosteroids in preterm lambs. *J. Appl. Physiol.* 75:2099-2105, 1993.
119. Ikegami, M., Ueda, T., Absolom, D., Baxter, C., Rider, E., Jobe, A.H. Changes in exogenous surfactant in ventilated preterm lamb lungs. *Am. Rev. Respir. Dis.* 148:837-844, 1993.
120. Tabor, B.L., Lewis, J.F., Ikegami, M., Polk, D., Jobe, A.H. Corticosteroids and fetal intervention interact to alter lung maturation in preterm lambs. *Pediatr. Res.* 35:479-483, 1994.
121. Ueda, T., Ikegami, M., Rider, E., Jobe, A.H. Distribution of surfactant and ventilation in surfactant treated preterm lambs. *J. Appl. Physiol.* 76:45-55, 1994.
122. Ikegami, M., Ueda, T., Purtell, J., Woods, E., Jobe, A.H. Surfactant protein A labeling kinetics in newborn and adult rabbits. *Am. J. Resp. Cell and Mol. Biol.* 10:413-418, 1994.
123. Ueda, T., Ikegami, M., Jobe, A.H. Surfactant subtypes; *in vitro* conversion *in vivo* function and effects of serum proteins. *Am. J. Respir. Crit. Care Med.* 149:1254-1259, 1994.
124. Ohashi, T., Polk, D., Ikegami, M., Ueda, T., Jobe, A.H. Ontogeny and effects of exogenous surfactant treatment on surfactant protein SP-A, SP-B, SP-C mRNA expression in rabbit lungs. *A.J. Physiol. (Lung)*, 267:L46-L51, 1994.
125. Ohashi, T., Pinkerton, K., Ikegami, M., Jobe, A.H. Changes in alveolar surface area, surfactant protein-A and saturated phosphatidylcholine with postnatal rat lung growth. *Pediatr. Res.* 35:685-689, 1994.
126. Ueda, T., Ikegami, M., Jobe, A.H. Developmental changes of surfactant: *in vivo* function and *in vitro* subtype conversion. *J. Appl. Physiol.* 76:2701-2706, 1994.
127. Newnham, J.P., Polk, D.H., Kelly, R.W., Padbury, J.F., Evans, S.F., Ikegami, M., Jobe, A.H. Catecholamine response to ultrasonographically guided percutaneous blood sampling in fetal sheep. *Am. J. Obstet. Gynecol.* 171:460-465, 1994.

128. Lanteri, C.J., Willet, K.E., Kano, S., Jobe, A.H., Ikegami, M., Polk, D.H., Newnham, J.P., Kohan, R., Kelly, R., Sly, P.D. Time course of changes in lung mechanics following fetal steroid treatment. *Am. J. Respir. Crit. Care Med.* 150:759-765, 1994.
129. Pinkerton, K.E., Lewis, J.F., Rider, E.D., Peake, J., Chen, W., Ramsey, A.K., Luu, R.H., Ikegami, M., Jobe, A.H. Lung parenchyma and type II cell morphometrics: Effect of surfactant treatment of preterm ventilated lamb lungs. *J. Appl. Physiol.* 77:1953-1960, 1994.
130. Dizon-Co, L., Ikegami, M., Ueda, T., Jobe, A.H., Lin, W.H., Turcotte, J.G., Notter, R.H., Rider, E.D. *In vivo* function of surfactants containing phosphatidylcholine analogs. *Am. J. Respir. Crit. Care Med.* 150:918-923, 1994.
131. Polk, D., Ikegami, M., Austin, S.D., Jobe, A.H., Newnham, J., Sly, P., Kohan, R., Kelly, R. Postnatal lung function in preterm lambs: Effects of a single exposure to betamethasone and thyroid hormones. *Am. J. Obstet. Gynecol.* 172:872-881, 1995.
132. Ueda, T., Ikegami, M., Jobe, A.H. Clearance of surfactant protein A from rabbit lungs. *Am. J. Respir. Cell. Mol. Biol.* 12:89-94, 1995.
133. Chen, C-M., Ikegami, M., Ueda, T., Polk, D.H., Jobe, A.H. Fetal corticosteroid and T₄ treatment effects on lung function of surfactant treated preterm lambs. *Am. J. Respir. Crit. Care Med.* 151:21-26, 1995.
134. Ueda, T., Ikegami, M., Polk, D.H., Mizuno, K., Jobe, A.H. Effects of fetal corticosteroid treatments on postnatal surfactant function in preterm lambs. *J. Appl. Physiol.* 79:846-851, 1995.
135. Ikegami, M., Polk, D.H., Jobe, A.H., Newnham, J., Sly, P., Kohan, R., Kelly, R. Postnatal lung function in lambs after fetal hormone treatment: Effects of gestational age. *Am. J. Respir. Crit. Care Med.* 152:1256-1261, 1995.
136. Mizuno, K., Ikegami, M., Chen, C-M., Ueda, T., Jobe, A.H. SP-B supplementation improves *in vivo* function of a modified natural surfactant. *Pediatr. Res.* 37:271-276, 1995.
137. Ueda, T., Ikegami, M., Henry, M., Jobe, A.H. Clearance of surfactant protein B from rabbit lungs. *Am. J. Physiol. (Lung)* 268:L636-L641, 1995.
138. Chen, C-M., Ikegami, M., Ueda, T., Polk, D.H., Jobe, A.H. Exogenous surfactant function in very preterm lambs with and without fetal corticosteroid treatment. *J. Appl. Physiol.* 78:955-960, 1995.
139. Seidner, S.R., Ikegami, M., Yamada, T., Rider, E.D., Castro, R., Jobe, A.H. Decreased surfactant dose-response after delayed administration to preterm rabbits. *Am. J. Respr. and Crit. Care Med.* 152:113-120, 1995.
140. Woods, E., Ohashi, T., Polk, D., Ikegami, M., Ueda, T., Jobe, A.H. Surfactant treatment and ventilation effects on surfactant SP-A, SP-B, SP-C mRNA in preterm lamb lungs. *Am. J. Physiol.* 269:L209-L214, 1995.
141. Padbury, J.F., Polk, D.H., Ervin, M.G., Berry, L.M., Ikegami, M., Jobe, A.H. Postnatal cardiovascular and metabolic responses to a single intramuscular dose of betamethasone in fetal sheep born prematurely by cesarean section. *Pediatr. Res.* 38:709-715, 1995.
142. Ikegami, M., Polk, D.H., Jobe, A.H., Newnham, J., Sly, P., Kohan, R., Kelly, R. Effect of interval from fetal corticosteroid treatment to delivery on postnatal lung function on preterm lambs. *J. Appl. Physiol.* 80:591-597, 1996.
143. Henry, M., Ikegami, M., Ueda, T., Jobe, A.H. Surfactant protein B metabolism in newborn rabbits. *Biochim. Biophys. Acta* 1300:97-102, 1996.
144. Ikegami, M., Ueda, T., Hull, W., Whitsett, J.A., Mulligan, R.C., Dranoff, G., Jobe, A.H. Surfactant metabolism in transgenic mice after granulocyte macrophage-colony stimulating factor ablation. *Am. J. Physiol.* 270:L650-L658, 1996.

145. Rebello, C.M., Ikegami, M., Polk, D.H., Jobe, A.H. Postnatal lung responses and surfactant function after fetal or maternal corticosteroid treatment of preterm lambs. *J. Appl. Physiol.* 80:1674-1680, 1996.
146. Ikegami, M., Polk, D., Jobe, A.H. Minimum interval from fetal betamethasone treatment to postnatal lung responses in preterm lambs. *Am. J. Obstet. Gynecol.* 174:1408-1413, 1996.
147. Henry, M.D., Rebello, C.M., Ikegami, M., Jobe, A.H., Langenback, E.G., Davis, J.M. Ultrasonic nebulized in comparison to instilled surfactant treatment of preterm lambs. *Am. J. Respir. Crit. Care Med.* 154:366-375, 1996.
148. Jobe, A.H., Ikegami, M., Yei, S., Whitsett, J.A., Trapnell, B. Surfactant effects on aerosolized and instilled adenoviral. Mediated Gene Transfer. *Hum. Gene Ther.* 7:697-704, 1996.
149. Rebello, C.M., Jobe, A.H., Eisele, J.W., Ikegami, M. Alveolar and tissue surfactant pool sizes in humans. *Am. J. Respir. Crit. Care Med.* 154:625-628, 1996.
150. Jobe, A.H., Ueda, T., Whitsett, J.A., Trapnell, B.C., Ikegami, M. Surfactant enhances adenovirus mediated gene expression in rabbit lungs. *Gene Ther.* 3:775-779, 1996.
151. Ervin, M.G., Berry, L.M., Ikegami, M., Jobe, A.H., Padbury, J.F., Polk, D.H. Single dose fetal glucocorticosteroid administration alters postnatal renal function in premature lambs. *Pediatr. Res.* 40:645-651, 1996.
152. Jobe, A.H., Polk, D.H., Ervin, M.G., Padbury, J.F., Rebello, C.M., Ikegami, M. Preterm betamethasone treatment of fetal sheep: outcome following term delivery. *J. Soc. Gynecol. Invest.* 3:250-258, 1996.
153. Ikegami, M., Rebello, C.M., Jobe, A.H. Surfactant inhibition by plasma: gestational age and surfactant treatment effects in preterm lambs. *J. Appl. Physiol.* 81:2517-2522, 1996.
154. Walther, F.J., David-Cu, R., Mehta, E.I., Polk, D.H., Jobe, A.H., Ikegami, M. Higher lung antioxidant enzyme activity persists after single dose of corticosteroids in preterm lambs. *Am. J. Physiol.* 271: L187-L191, 1996.
155. Willet, K.E., Gurrin, L., Burton, P., Lanter, C.J., Reese, A.C., Vij, J., Matsumoto, I., Jobe, A.H., Ikegami, M., Polk, D.H., Newnham, J., Kohan, R., Kelly, R., Sly, P.D. Differing patterns of mechanical response to direct fetal hormone treatment. *Respir. Physiol.*, 103:271-280, 1996.
156. Ikegami, M., Jobe, A.H., Havill, A.M. Keratinocyte growth factor increases surfactant pool sizes in premature rabbits. *Am. J. Respir. Crit. Care Med.* 155:1155-1158, 1997.
157. Clark, J.C., Weaver, T.E., Iwamoto, H.S., Ikegami, M., Jobe, A.H., Hull, W.M., Whitsett, J.A. Decreased lung compliance and air trapping in heterozygous SP-B deficient mice. *Am. J. Respir. Cell Mol. Biol.* 16:46-52, 1997.
158. Korfhagen, T.R., Bruno, M.D., Ross, G.F., Huelsman, K.A., Ikegami, M., Jobe, A.H., Wert, S.E., Stripp, B.R., Morris, R.E., Glasser, S.W., Bachurski, C.J., Iwamoto, H.S., Whitsett, J.A. Preservation of lung function and deficiency of tubular myelin in SP-A gene targeted mice. *Proc. Natl. Acad. Sci. (USA)* 93:9594-9599, 1996.
159. Polk, D.H., Ikegami, M., Jobe, A.H., Sly, P., Kohan, R., Newnham, J. Preterm lung function following retreatment with antenatal betamethasone in preterm lambs. *Am. J. Obstet. Gynecol.* 176:308-315, 1997.
160. Ikegami, M., Korfhagen, T.R., Bruno, M.D., Whitsett, J.A., Jobe, A.H. Surfactant metabolism in surfactant protein A deficient mice. *Am. J. Physiol.* 272:L479-485, 1997.
161. Henry, M.D., Ikegami, M., Jobe, A.H. Testing surfactant treatment responses: a comparison of two models. *Biol. Neonate* 71:181-189, 1997.

162. Tokieda, K., Whitsett, J.A., Clark, J.C., Weaver, T.E., Ikeda, K., McConnell, K.B., Jobe, A.H., Ikegami, M., Iwamoto, H.S. Pulmonary dysfunction in neonatal SP-B deficient mice. *Am. J. Physiol.* 273:L875-L882, 1997
163. Rebello, C.M., Ikegami, M., Hernandez, R.E., Jobe, A.H. Surfactant protein-B and lung function in surfactant treated preterm lambs. *Biol. Neonate* 71:327-336, 1997.
164. Rebello, C.M., Ikegami, M., Ervin, M.G., Polk, D.H., Jobe, A.H. Postnatal lung function and protein permeability after fetal or maternal corticosteroids in preterm lambs. *J. Appl. Physiol.* 83:213-218, 1997
165. Akinbi, H.T., Breslin, J.S., Ikegami, M., Iwamoto, H.S., Clark, J.C., Whitsett, J.A., Jobe, A.H., Weaver, T.E. Rescue of SP-B knockout mice with a truncated SP-B proprotein: function of the C-terminal propeptide. *J. Biol. Chem.* 272:9640-9647, 1997.
166. Ikegami, M., Jobe, A.H., Newnham, J., Polk, D.H., Willet, K.E., Sly, P. Repetitive prenatal glucocorticoids affect lung function and growth in preterm lambs. *Am. J. Respir. Crit. Care Med.* 156:178-184, 1997
167. Pinkerton, K.E., Willet, K.E., Peake, J.L., Sly, P.D., Jobe, A.H., Ikegami, M. Prenatal glucocorticoid and T₄ effects on lung morphology in preterm lambs. *Am. J. Respir. Crit. Care Med.* 156:624-630, 1997
168. Ikegami, M., Jobe, A.H., Reed Huffman, J.A., Whitsett, J.A. Surfactant metabolic consequences of overexpression of GM-CSF in type II cells of GM-CSF deficient mice. *Am. J. Physiol.* 273:L709-L714, 1997
169. Berry, L.M., Polk, D.H., Ikegami, M., Jobe, A.H., Padbury, J.F., and Ervin, M.G. Preterm newborn lamb renal and cardiovascular responses after fetal or maternal antenatal betamethasone. *Am. J. Physiol.* 272:R1972-R1979, 1997
170. Jobe, A.H., Ikegami, M., Padbury, J., Polk, D.H., Gonzales, L.W., Ballard, P.L. Combined effects of fetal B-agonist stimulation and glucocorticoids on lung function of preterm lambs. *Biology Neonate*, 72:305-313, 1997.
171. Wada, K., Jobe, A.H., Ikegami, M. Tidal volume effects on surfactant treatment responses with the initiation of ventilation in preterm lambs. *J. Appl. Physiol.*, 83:1054-1061, 1997.
172. Ballard, P.L., Ning, Y., Polk, D., Ikegami, M., Jobe, A.H. Glucocorticoid regulation of surfactant components in immature lambs. *Am. J. Physiol.*, 273:L1048-L1057, 1997.
173. Ikegami, M., Wada, K., Emerson, G.A., Rebello, C.M., Hernandez, R.E., Jobe, A.H. Effects of ventilation style on surfactant metabolism and treatment response in preterm lambs. *Am. J. Respir. Crit. Care Med.*, 157:638-644, 1998.
174. Emerson, G.A., Bry, K., Hallman, M., Jobe, A.H., Wada, N., Ervin, M.G., Ikegami, M. Intra- amniotic interleukin-1a treatment alters postnatal adaptation in premature lambs. *Biology Neonate*, 72:370-379, 1997.
175. Willet, K.E., Jobe, A.H., Ikegami, M., Polk, D., Newnham, J., Kohan, R., Gurrin, L. & D. Sly, P.D. Postnatal lung function after prenatal steroid treatment in sheep: Effect of gender. *Pediatr. Res.*, 42:885-892, 1997.
176. Davis, A.J., Jobe, A.H., Häfner, D., and Ikegami, M. Lung function in premature lambs and rabbits treated with a recombinant SP-C surfactant. *Am. J. Respir. Crit. Care Med.*, 157:553-559, 1998.
177. Jobe, A.H., Wada, N., Berry, L.M., Ikegami, M., and Ervin, M.G. Single and repetitive maternal glucocorticoid exposures reduce fetal growth in sheep. *Am. J. Obstet. Gynecol.*, 178:880-885, 1998
178. Ikegami, M., Korfhagen, T.R., Whitsett, J.A., Bruno, M.D., Wert, S.E., Wada, K., and Jobe, A.H. Characteristics of surfactant from SP-A deficient mice. *Am. J. Physiol.*, 275:L245-L254, 1998.
179. Ervin, M.G., Seidner, S.T., Leland, M.M., Ikegami, M., Jobe, A.H. Direct fetal glucocorticoid treatment alters postnatal adaptation in premature newborn baboons. *Am. J. Physiol.*, 274:R1169-R1176, 1998.

180. Ikegami, M., Horowitz, A.D., Whitsett, J.A., and Jobe, A.H. Clearance of SP-C and recombinant SP-C in vivo and in vitro. *Am. J. Physiol.*, 274:L933-L939, 1998.
181. Jobe, A.H. Surfactant homeostasis in corticotropin-releasing hormone deficient mice. *Am. J. Respir. Crit. Care Med.*, 158:840-845, 1998.
182. Jobe, A.H., Newnham, J., Willet, K., Sly, P. and Ikegami, M. Fetal versus maternal and gestational age effects of repetitive antenatal glucocorticoids. *Pediatrics*, 102:1116-1125, 1998.
183. Walther, F.J., Jobe, A.H. and Ikegami, M. Repetitive prenatal glucocorticoid therapy reduces oxidative stress in the lungs of preterm lambs. *J. Appl. Physiol.*, 85:273-278, 1998.
184. Korfhagen, T.R., Sheftelyevich, V., Burnhans, M.S., Bruno, M.D., Ross, G.F., Wert, S.E., Stahlman, M.T., Jobe, A.H., Ikegami, M., Whitsett, J.A. and Fisher, J.H. Surfactant Protein-D regulates surfactant phospholipid homeostasis in vivo. *J. Biol. Chem.*, 273:28438-28443, 1998.
185. Seidner, S.R., Jobe, A.H., Coalson, J.J., and Ikegami, M. Abnormal surfactant metabolism and function in preterm ventilated baboons. *Am. J. Respir. Crit. Care Med.*, 158:1982-1989, 1998.
186. Ikegami, M., and Jobe, A.H. Surfactant Protein-C in ventilated lamb lung. *Pediatr. Res.* 44:860-864, 1998.
187. Willet, K.E., McMenamin, P., Pinkerton, K.E., Ikegami, M., Jobe, A.H., Gurrin, L., and Sly, P. Lung morphometry and collagen and elastin content: Changes during normal development and following prenatal hormones in sheep. *Pediatr. Res.* 45:615-625, 1999.
188. Newnham, J.P., Evans, S.F., Godfrey, M., Huang, W., Ikegami, M., and Jobe, A.H. Maternal, but not fetal, administration of corticosteroids restricts fetal growth. *J. Matern. Fetal Med.*, 8:81-87, 1999.
189. Reed, J.A., Ikegami, M., Cianciolo, E.R., Lu, W., Cho, P.S., Hull, W., Jobe, A.H. and Whitsett, J.A. Aerosolized GM-CSF ameliorates pulmonary alveolar proteinosis. *Am. J. Physiol.* 276:L556-L563, 1999.
190. Michna, J., Jobe, A.H., and Ikegami, M. Positive end expiratory pressure preserves surfactant function in preterm lambs. *Am. J. Respir. Crit. Care Med.* 160:634-639, 1999.
191. Ikegami, M., Harrod, K.S., Whitsett, J.A., and Jobe, A.H. CCSP deficiency does not alter surfactant homeostasis during adenoviral infection. *Am. J. Physiol.* 277:L983-L987, 1999.
192. Tan, R.C., Ikegami, M., Jobe, A.H., Yao, L., Possmayer, F., and Ballard, P. Developmental and glucocorticoid regulation of surfactant protein mRNAs in preterm lambs. *Am. J. Physiol.* 277:L1142-L1148, 1999.
193. Ross, G.F., Ikegami, M., Steinhilber, W., and Jobe, A.H. Surfactant protein C in fetal and ventilated preterm rabbit lungs. *Am. J. Physiol.* 277:L1104-L1108, 1999.
194. Bunt, J.E., Carnielli, V.P., Seidner, S., Ikegami, M., Wattimena, J.L.D., Sauer, P.J.J., Jobe, A.H., and Zimmerman, L.J.I. Metabolism of endogenous surfactant in premature baboons and effect of prenatal corticosteroids. *Am. J. Respir. Crit Care Med.* 160:1481-1485, 1999.
195. Rider, E.D., Ikegami, M., Pinkerton, K., Peake, J.L., and Jobe, A.H. Lysosomes from rabbit type II cells catabolize surfactant lipids. *Am. J. Physiol.* 278:L68-L74, 2000.
196. Jobe, A.H., Newnham, J., Willet, K.E., Sly, P., Ervin, M.G., Bachurski, C., Possmayer, F., Hallman, M., and Ikegami, M. Antenatal endotoxin and glucocorticoid effects on the lungs of preterm lambs. *Am. J. Obstet. Gynecol.* 182:401-408, 2000.
197. Ikegami, M., Whitsett, J.A., Chroneos, Z.C., Ross, G.F., Reed, J.A., Bachurski, C.J., and Jobe, A.H. IL-4 increases surfactant and regulates metabolism in vivo. *Am. J. Physiol. /Lung Cell & Mol Physiol.* 278:L75-L80, 2000.

198. Ikegami, M., Kallapur, S., Michna, J., and Jobe, A.H. Lung injury and surfactant metabolism after hyperventilation of premature lambs. *Pediatr. Res.* 47:398-404, 2000.
199. Pinkerton, K.E., Woods, E., Rider, E.D., Dillard, L.M., Ikegami, M., and Jobe, A.H. Surfactant treatment effects on lung structure and type II cells of preterm ventilated lambs. *Biol. of the Neonate.* 77:243-252, 2000.
200. Smith, L.M., Ervin, M.G., Wada, N., Ikegami, M., Polk, D., and Jobe, A.H. Antenatal glucocorticoids alter postnatal preterm lamb renal and cardiovascular responses to intravascular volume expansion. *Pediatr. Res.* 47:622-627, 2000.
201. Grover, T.R., Ackerman, K.L., LeCras, T.D., Jobe, A.H. Repetitive prenatal glucocorticoids increase lung endothelial nitric oxide synthase expression in ovine fetuses delivered at term. *Pediatr. Res.* 48:75-83, 2000.
202. Willet, K.E., Jobe, A.H., Ikegami, M., Newnham, J., and Sly, P.D. Pulmonary interstitial emphysema 24h after antenatal betamethasone treatment in preterm sheep. *Am. J. Respir. Crit. Care Med.* 162:1087-1094, 2000.
203. Kramer, B., Ikegami, M., Jobe, A.H. Surfactant catabolic rate is pool size dependent in mice. *Am. J. Physiol.* 279:L842-L849, 2000.
204. Jobe, A.H., Newnham, J.P., Willet, K.E., Moss, T.J., Ervin, M.G., Padbury, J.F., Sly, P., Ikegami, M. Endotoxin induced lung maturation in preterm lambs is not mediated by cortisol. *Am. J. Respir. Crit. Care Med.*, 162:1656-1661, 2000.
205. Ikegami, M., Jobe, A.H., Whitsett, J., and Korfhagen, T. Tolerance of SP-A deficient mice to hyperoxia or exercise. *J. Appl. Physiol.* 89:644-648, 2000.
206. Ikegami, M., Whitsett, J.A., Jobe, A.H., Ross, G., Fisher, J., Korfhagen, T. Surfactant metabolism in SP-D gene ablated mice. *Am. J. Physiol.* 279:L468-L476, 2000.
207. Willet, K.E., Jobe, A.H., Ikegami, M., Newnham, J., Sly, P. Antenatal retinoic acid does not alter alveolarization or postnatal lung function in preterm sheep. *European Respir. J.* 16:101-107, 2000.
208. Willet, K.E., Jobe, A.H., Ikegami, M., Brennan, S., Newnham, J., Sly, P.D. Antenatal endotoxin and glucocorticoid effects on lung morphometry in preterm lambs. *Pediatr. Res.* 48:782-788, 2000.
209. Bhat, V., Jobe, A.H., Wert, S., Jesmok, G.J., and Ikegami, M. Plasminogen did not Affect Lung Function in Surfactant-Treated Lambs. *Biol. Neonate.* 78:212-219, 2000.
210. Ervin, M.G., Padbury, J.F., Polk, D.H., Ikegami, M., Berry, L.M., and Jobe, A.H. Antenatal glucocorticoids alter premature newborn lamb neuroendocrine and endocrine responses to hypoxia. *Am. J. Physiol.* 279:R830-R838, 2000.
211. Kallapur, S.G., Willet, K.E., Jobe, A.H., Ikegami, M., and Bachurski, C.J. Intra-amniotic endotoxin: Chorioamnionitis precedes lung maturation in preterm lambs. *Am. J. Physiol.* 280:L527-L536, 2001.
212. Kramer, B.W., Jobe, A.H., Bachurski, C.J., and Ikegami, M. Surfactant protein A recruits neutrophil granulocytes into the lungs of ventilated preterm sheep. *Am. J. Respir. Crit. Care Med.*, 163:158-165, 2001.
213. Bachurski, C.J., Ross, G.F., Ikegami, M., Kramer, W.B., and Jobe, A.H. Intra-amniotic endotoxin increases pulmonary surfactant proteins and induces SP-B processing in fetal sheep. *Am. J. Physiol.* 280:L279-L285, 2001.
214. Willet, K.E., Jobe, A.H., Ikegami, M., Kovar, J., and Sly, P.D. Lung morphometry following repetitive antenatal glucocorticoid treatment in preterm sheep. *Am. J. Respir. Crit. Care Med.*, 163:1437-1443, 2001.
215. Gurel, O., Ikegami, M., Chroneos, Z.C., and Jobe, A.H. Macrophage and Type II cell catabolism of SP-A and saturated phosphatidylcholine in mouse lungs. *Am. J. Physiol.* 280:L1266-L1272, 2001.

216. Kramer, B.W., Jobe, A.H., Ikegami, M. Exogenous surfactant changes the phenotype without activation of alveolar macrophages in mice. *Am. J. Physiol.* 280:L689-L694, 2001.
217. Pillow, J.J., Hall, G.L., Willet, K.E., Jobe, A.H., Hantos, Z., and Sly, P.D. Effects of gestational age and antenatal steroid on airway and tissue mechanics in newborn lambs. *Am. J. Respir. Crit. Care Med.* 163:1158-1163, 2001.
218. Naik, A.S., Kallapur, S.G., Bachurski, C.J., Jobe, A.H., Michna, J., Kramer, B.W., and Ikegami, M. Effects of ventilation with different PEEP on cytokine expression in the preterm lamb lung. *Am. J. Respir. Crit. Care Med.* 164:494-498, 2001.
219. Newnham, J.P., Moss, T.J., Padbury, J.F., Willet, K.E., Ikegami, M., Ervin, M.G., Sly, P., Jobe, A.H. The interactive effects of endotoxin with prenatal glucocorticoids on short term lung function in sheep. *Am. J. Obstet. Gynecol.* 185:190-197, 2001.
220. Kramer, B.W., Moss, T.J., Willet, K.E., Newnham, J.P., Sly, P.D., Kallapur, S.G., Ikegami, M., and Jobe, A.H. Dose and time response after intra-amniotic endotoxin in preterm lambs. *Am. J. Respir. Crit. Care Med.* 164:982-988, 2001.
221. Kramer, B.W., Ikegami, M., and Jobe, A.H. Intratracheal endotoxin causes systemic inflammation in ventilated preterm lambs but not in term lamb. *Am. J. Respir. Crit. Care Med.* 165:463-469, 2002.
222. Willet, K., Kramer, B.W., Kallapur, S.G., Ikegami, M., Newnham, J.P., Moss, T.J., Sly, P.D., Jobe, A.H. Intra-amniotic injection of IL-1 induces inflammation and maturation in fetal sheep lung. *Am. J. Physiol., Lung Cell Mol. Physiol.*, 282:L411-L420, 2002.
223. Newnham, J.P., Moss, T.J., Kramer, B.W., Nitsos, I., Ikegami, M., and Jobe, A.H. The fetal maturational and inflammatory responses to different routes of endotoxin infusion in sheep. *Am. J. Ob/Gyn.* 186:1062-1068, 2002.
224. Moss, T.J., Newnham, J.P., Kramer, B.W., Willet, K.E., Sly, P.D., Jobe, A.H., and Ikegami, M. Early gestational intra-amniotic endotoxin, lung function, surfactant and morphology. *Am. J. Respir. Crit. Care Med.* 165:805-811, 2002.
225. Ikegami, M., and Jobe, A.H. Injury responses to different surfactants in ventilated premature lamb lungs. *Pediatr. Res.* 51:689-695, 2002.
226. Jobe, A.H., Kramer, B.W., Moss, T.J., Newnham, J.P., and Ikegami, M. Decreased indicators of lung injury with continuous positive expiratory pressure in preterm lambs. *Pediatr. Res.* 52:387-392, 2002.
227. Ikegami, M., and Jobe, A.H. Postnatal inflammation increased by ventilation of preterm lungs exposed to antenatal endotoxin. *Pediatr. Res.* 52:356-362, 2002.
228. Moss, T.J., Nitsos, I., Kramer, B.W., Ikegami, M., Newnham, J.P., and Jobe, A.H. Intra-amniotic endotoxin induces lung maturation by direct effects on the developing respiratory tract in preterm sheep. *Am. J. Ob/Gyn.* 187:1059-1065, 2002.
229. Kramer, B.W., Kramer, S., Ikegami, M., and Jobe, A.H. Injury, inflammation and remodeling in fetal sheep lung after intra-amniotic endotoxin. *Am. J. Physiol. Lung Cell Mol. Physiol.* 283:L452-L459, 2002.
230. Janssen, D.J., Carnielli, V.P., Cogo, P.E., Seidner, S.R., Luijendijk, I.H., Wattimena, D., Jobe, A.H., and Zimmermann, L.J. Surfactant phosphatidylcholine half-life and pool size measurements in premature baboons developing BPD. *Pediatr. Res.* 52:724-729, 2002.
231. Jobe, A.H., Newnham, J.P., Moss, T.J., and Ikegami, M. Differential effects of maternal betamethasone and cortisol on lung maturation and growth in fetal sheep. *Am. J. Ob/Gyn.* 188:22-28, 2003.

232. Jobe, A.H., Scott, S.M., Polk, D.H., and Seidner, S.R. Adrenal and thyroid axis function in preterm ventilated baboons. *Biol. Neonate.* 83:208-216, 2003.
233. Strand, M., Ikegami, M., and Jobe, A.H. Effects of high Pco₂ on ventilated preterm lamb lungs. *Pediatr. Res.*, 53:468-472, 2003
234. Smith, L.M., Ervin, M.G., Wada, N., Ikegami, M., and Jobe, A.H. Single and multiple prenatal glucocorticoid exposures improve preterm newborn lamb cardiovascular and renal function similarly. *Am. J. Ob/Gyn.* 188:444-453, 2003
235. Kallapur, S.G., Jobe, A.H., Ikegami, M., and Bachurski, C.J. Increased IP-10 and MIG expression after intra-amniotic endotoxin in preterm lamb lung. *Am. J. Respir. Crit. Care Med.* 167:779-786, 2003
236. Kallapur, S.G., Kramer, B.W., Moss, T.J., Newnham, J.P., Jobe, A.H., Ikegami, M., and Bachurski, C.J. Maternal glucocorticoids increase endotoxin induced lung inflammation in preterm lambs. *Am. J. Physiol.* 284:L633-L642, 2003.
237. Epaud, R., Ikegami, M., Whitsett, J.A., Jobe, A.H., Weaver, T.E., Akinbi, H.T. Surfactant protein B inhibits endotoxin-induced lung inflammation. *Am. J. Respir. Cell Mol. Biol.* 28:373-378, 2003.
238. Narendran, V., Donovan, E.F., Hoath, S.B., Akinbi, H.T., Steichen, J.J., and Jobe, A.H. Early bubble CPAP and outcomes in ELBW preterm infants. *J. Perinatol.* 23:195-199, 2003.
239. Kramer, B.W., Jobe, A.H., and Ikegami, M. Monocytes function in preterm, term and adult sheep. *Pediatr. Res.* 54:52-57, 2003.
240. Sosenko, I.R., and Jobe, A.H. Intra-amniotic endotoxin increases lung antioxidant enzyme activity in preterm lambs. *Pediatr. Res.* 53:679-683, 2003
241. Ikegami, M., Moss, T.J.M., Kallapur, S.G., Mulrooney, N., Kramer, B.W., Nitsos, I., Bachurski, C.J., Newnham, and Jobe, A.H. Minimal lung and systemic responses to TNF α in preterm sheep. *Am. J. Physiol.* 285: L121-L129, 2003
242. Moss, T.J.M., Nitsos, I., Newnham, J.P., Ikegami, M., and Jobe, A.H. Chorioamnionitis induced by subchronic endotoxin infusion in sheep. *Am. J. Obstet. Gynecol.* 189: 1771-1776, 2003.
243. Moss, T.J.M., Mulrooney, N., Nitsos, I., Ikegami, M., Jobe, A.H., and Newnham, J.P. Intra-amniotic corticosteroids for preterm lung maturation in sheep. *Am. J. Ob/Gyn.* 189:1389-1395, 2003.
244. Newnham, J.P., Kallapur, S.G., Kramer, B.W., Moss, T.J.M., Nitsos, I., Ikegami, M., and Jobe, A.H. Betamethasone effects on chorioamnionitis induced by intra-amniotic endotoxin in sheep. *Am. J. Obstet. Gynecol.* 189:1458-1466, 2003.
245. Ikegami, M., Kallapur, S.G. and Jobe, A.H. Responses of ventilated lambs to intra-amniotic endotoxin given 4d before preterm delivery. *Am.J. Physiol.* 286:L573-579, 2004.
246. Jobe, A.H. and Soll, R.F. Choice and dose of corticosteroid for antenatal treatments. *Am. J. Obstet. Gynecol.* 190:878-881, 2004.
247. Kramer, B.W., Ikegami, M., Moss, T.J.M., Nitsos, I., Newnham, J.P., and Jobe, A.H. Antenatal betamethasone changes cord blood monocyte responses to endotoxin in preterm lambs. *Pediatr. Res.* 55:764-768, 2004.
248. Mulrooney, N., Jobe, A.H., and Ikegami, M. Lung inflammatory responses to intratracheal IL-1 α in ventilated preterm lambs. *Pediatr. Res.* 55:682-687, 2004.
249. Smith, L.M., Altamirano, A.K., Ervin, M.G., Seidner, S.T., and Jobe, A.H. Prenatal glucocorticoid exposure and postnatal adaptation in premature newborn baboons ventilated for six days. *Am. J. Ob/Gyn.*, 191, 1688-1694, 2004.

250. Pillow, J.J., Jobe, A.H., Collins, R.A., Hantos, Z., Ikegami, M., Moss, T.J., Newnham, J.P., Willet, K.E., and Sly, P.D. Variability in preterm lamb lung mechanics after intra-amniotic endotoxin is due to changes in surfactant pool size and histology. *Am. J. Physiol Lung Cell Mol Physiol.*, 287:L992-L998, 2004.
251. Kallapur, S.G., Bachurski, C., Le Cras, T.D., Joshi, S.N., Ikegami, M., and Jobe, A.H. Vascular changes after intra-amniotic endotoxin in preterm lamb lungs. *Am. J. Physiol Lung Cell Mol. Physiol.*, 287:L1178-L1185, 2004.
252. Kramer, B.W., Ikegami, M., Moss, T.J.M., Nitsos, I., Newnham, J.P., and Jobe, A.H. Endotoxin-induced chorioamnionitis modulates innate immunity of monocytes in preterm sheep. *Am. J Respir. Crit Care Med.*, 171:73-77, 2005.
253. Mulrooney, N., Champion, Z., Moss, T.J.M., Nitsos, I., Ikegami, M., and Jobe, A.H. Surfactant and physiological responses of preterm lambs to continuous positive airway pressure. *Am. J Respir. Crit. Care Med.*, 171:1-6, 2005.
254. Newnham, J.P., Shub, A., Jobe, A.H., Bird, P.S., Ikegami, M., Nitsos, I., and Moss, T.J.M. The effects of intra-amniotic administration of periodontopathic lipopolysaccharides in sheep. *Am. J. Obstet. Gynecol.* 193:313-321, 2005.
255. Kallapur, S.G., Nitsos, I., Moss, T.J., Kramer, B.W., Newnham, J.P., Ikegami, M., and Jobe, A.H. Chronic endotoxin exposure does not cause sustained structural abnormalities in the fetal sheep lungs. *Am. J. Physiol. Lung Cell Mol. Physiol.* 288:L966-L974, 2005.
256. Shankaran, S., Laptook, A., Ehrenkranz, R.A., Tyson, J.E., McDonald, S.A., Donovan, E.F., Fanaroff, A.A., Poole, W.K., Wright, L.L., Higgins, R.D., Finer, N.N., Carlo, W.A., Duara, S., Oh, W., Cotton, C.M., Stevenson, D.K., Stoll, B.J., Lemons, J.A., Guillet, R. and Jobe, A.H. Whole-body hypothermia for neonates with hypoxic-ischemic encephalopathy. *N. Engl. J. Med.*, 353:1574-1584, 2005.
257. Kallapur, S.G., Moss, T.J.M., Ikegami, M., Jasman, R.L., Newnham, J.P., and Jobe, A.H. Recruited inflammatory cells mediate endotoxin-induced lung maturation in preterm fetal lambs. *Am. J. Respir. Crit. Care Med.*, 172:1315-1321, 2005.
258. Wilson, T.C., Bachurski, C.J., Ikegami, M., Jobe, A.H. and Kallapur, S.G. Pulmonary and systemic induction of SAA3 after ventilation and endotoxin in preterm lambs. *Pediatr. Res.*, 58:1204-1209, 2005.
259. Blakely, M.L., Lally, K.P., McDonald, S., et al. For the NEC Subcommittee of NICHD Network. Postoperative outcomes of extremely low birth-weight infants with necrotizing enterocolitis or isolated intestinal perforation. A prospective cohort study by the NICHD Neonatal Research Network. *Annals of Surgery*, 241: 984-989, 2005.
260. Ehrenkranz, R.A., Walsh, M.C., Vohr, B.R., Jobe, A.H., Wright, L.L., Fanaroff, A.A., Ch, B., Wrage, LA., and Pole, K. Validation of the NIH Consensus definition of bronchopulmonary dysplasia. *Pediatrics*, 116:1353-1360, 2005.
261. Moss, T.J.M., Nitsos, I., Ikegami, M., Jobe, A.H. and Newnham, J.P. Experimental intrauterine Ureaplasma infection in sheep. *Am. J. Ob/Gyn.*, 192:1179-1186, 2005.
262. Blakely, M.L., Tyson, J.E., Lally, K.P., McDonald, S., Stoll, B.J., Stevenson, D.K., Poole, W.K., Jobe, A.H., Wright, L.L. and Higgins, R.D. Laparotomy vs. peritoneal drainage for necrotizing enterocolitis or isolated intestinal perforation in extremely low birth weight infants: outcomes through 18 months adjusted age. *Pediatrics*, 117:e680-e687, 2006.
263. Sosenko, I.R.S., Kallapur, S.G., Nitsos, I., Moss, T.J.M., Newnham, J.P., Ikegami, M., and Jobe, A.H. IL-1 α causes lung inflammation and maturation by direct effects on preterm fetal lamb lungs. *Pediatr Res.*, 60:294-298, 2006.

264. Kunzmann, S., Speer, C.P., Jobe, A.H., and Kramer, B.W. Antenatal inflammation induced TGF-beta1 but suppressed CTGF in preterm lungs. *Am. J. Physiol. Lung Cell Mol. Physiol.*, 292:L223-L231, 2006.
265. Clyman, R.I., Saha, S., Jobe, A., and Oh, W. Indomethacin prophylaxis for preterm infants: the impact of 2 multicentered randomized controlled trials on clinical practice. *J. Pediatr.*, 150:46-60, 2007. PMID: 17188612
266. Pillow, J.J., Hillman, N., Moss, T.J.M., Polglase, G., Bold, G., Beaumont, C., Ikegami, M., and Jobe, A.H. Bubble continuous positive airway pressure enhances lung volume and gas exchange in preterm lambs. *Am. J. Respir. Crit. Care Med.*, 176:63-69, 2007. PMID: 17431223
267. Kramer, B.W., Joshi, S.N., Moss, T.J.M., Newnham, J.P., Sindelar, R., Jobe, A.H., and Kallapur, S.G. Endotoxin-induced maturation of monocytes in preterm fetal sheep lung. *Am. J. Physiol. Lung Cell Mol. Physiol.*, 293:L345-L353, 2007. PMID: N/A
268. Hillman, N., Moss, T.J.M., Kallapur, S.G., Bachurski, C., Pillow, J., Polglase, G., Nitsos, I., Kramer, B., and Jobe, A.H. Brief, large tidal volume ventilation initiates lung injury and a systemic response in fetal sheep. *Am J Respir Crit. Care Med.*, 176:575-581, 2007. PMID: 17641115
269. Polglase, G.R., Nitsos, I., Jobe, A.H., Newnham, J.P., and Moss, T.J.M. Maternal and intra-amniotic corticosteroid effects on lung morphometry in preterm lambs. *Pediatr. Res.* 62:32-36, 2007. PMCID: 1849955
270. Kallapur, S.G., Jobe, A.H., Ball, M.K., Nitsos, I., Moss, T.J.M., Hillman, N.H., Newnham, J.P., and Kramer, B.W. Pulmonary and systemic endotoxin tolerance in preterm fetal sheep exposed to chorioamnionitis. *J. Immunol.* 179:8491-8499, 2007. PMID: N/A
271. Jobe, A.H., Moss, T.J.M., Nitsos, I., Ikegami, M., Kallapur, S.G., and Newnham, J.P. Betamethasone for lung maturation: testing dose and formulation in fetal sheep. *Am J. Obstet. Gynecol.*, 197:523, 2007. PMID: 17980195
272. Cheah, F.-C., Jobe, A.H., Moss, T.J., Newnham, J.P., and Kallapur, S.G. Oxidative stress in fetal lambs exposed to intra-amniotic endotoxin in a chorioamnionitis model. *Pediatr. Res.* 63:274-279, 2008. PMID: N/A
273. Moss, T.J.M., Knox, C.L., Kallapur, S.G., Nitsos, I., Theodoropoulos, C., Ikegami, M., Newnham, J.P., and Jobe, A.H. Experimental amniotic fluid infection in sheep: effects of *Ureaplasma parvum* serovars 3 and 6 on preterm or term fetal sheep. *Am. J. Obstet. Gynecol.* 198:122e18, 2008. PMID: 18166324
274. Sweet, D.G., Huggett, M.T., Warner, J.A., Moss, T.J.M., Halliday, H.L., Newnham, J.P., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. Maternal betamethasone and chorioamnionitis induce different collagenases and lung maturation in fetal sheep lungs. *Neonatology*, 94:79-86, 2008. PMID: N/A
275. Hillman, N., Moss, T.J.M., Nitsos, I., Kramer, B.W., Bachurski, C., Ikegami, M., Jobe, A.H., and Kallapur, S.G. Toll-like receptors and agonist responses in the developing fetal sheep lung. *Pediatr. Res.* 63:388-393, 2008. PMID: N/A
276. Polglase, G.R., Hillman, N.H., Pillow, J.J., Cheah, F.-C., Nitsos, I., Moss, T.J., Kramer, B.W., Ikegami, M., Kallapur, S.G., and Jobe, A.H. Positive end-expiratory pressure and tidal volume during initial ventilation of preterm lambs. *Pediatr. Res.* 64:517, 2008. PMID: 18596572
277. Kramer B.W., Albertine, K.H., Moss, T.J.M., Nitsos, I., Speer, C.P., Newnham, J.P., and Jobe, A.H. All-trans retinoic acid does not modulate intra-amniotic endotoxin mediated effects on the fetal sheep lung. *Anat Rec (Hoboken)*, 291:1271-1277, 2008. PMID: N/A
278. Ball, M.K., Jobe, A.H., Polglase, G.R., Kallapur, S.G., Choe-Cheah, F., Hillman, N.H., and Pillow, J.J. High and low body temperature during the initiation of ventilation for near-term lambs. *Resuscitation*, 80:133-137, 2008. PMID: 19013704

279. Polglase, G.R., Hillman, N.H., Ball, M.K., Kramer, B.W., Kallapur, S.G., Jobe, A.H., and Pillow, J.J. Lung and systemic inflammation in preterm lambs on CPAP or conventional ventilation. *Pediatr. Res.* 65:67-71, 2009. PMID: 18704000
280. Moss, T.J.M., Nitsos, I., Knox, C.L., Polglase, G.R., Kallapur, S.G., Ikegami, M., Jobe, A.H., and Newnham, J.P. Ureaplasma colonization of amniotic fluid and efficacy of antenatal corticosteroids for preterm lung maturation in sheep. *Am. J. Obstet. Gynecol.*, 200:96.e1-6, 2009. PMID: 19121661
281. Cheah, F-C., Pillow, J.J., Kramer, B.W., Polglase, G.R., Nitsos, I., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Airway inflammatory cell responses to intra-amniotic lipopolysaccharide in a sheep model of chorioamnionitis. *Am. J. Physiol. Lung Cell Mol. Physiol.* 296:L384-L393, 2008. PMID: 19118089
282. Kramer, B.W., Kallapur, S.G., Moss, T.J., Nitsos, I., Newnham, J.P., and Jobe, A.H. Intra-amniotic LPS modulation of TLR signaling in lung and blood monocytes of fetal sheep. *Innate Immun.* 15:101-107, 2009. PMID: N/A
283. Kallapur, S.G., Nitsos, I., Moss, T.J.M., Polglase, G.R., Pillow, J.J., Cheah, F-C., Kramer, B.W., Newnham, J.P., Ikegami, M., and Jobe, A.H. IL-1 mediates pulmonary and systemic inflammatory responses to chorioamnionitis induced by LPS. *Am. J Respir. Crit. Care Med.*, 179:955-961, 2009. PMID: 19234101
284. Kallapur, S.G., Moss, T.J.M., Auten, R., Nitsos, I., Pillow, J.J., Kramer, B.W., Maeda, D., Newnham, J., Ikegami, M., and Jobe, A.H. IL-8 signaling does not mediate intra-amniotic LPS induced inflammation and maturation in preterm fetal lamb lung. *Am J Physiol Lung Cell Mol Physiol.*, 297: L512-L519, 2009. PMID: 19574422
285. Kramer, B.W., Kallapur, S.G., Moss, T.J., Nitsos, I., Polglase, G.P., Newnham, J.P., and Jobe, A.H. Modulation of fetal inflammatory response on exposure to lipopolysaccharide by chorioamnion, lung, or gut in sheep. *Am. J. Obstet. Gynecol.* 202:77.E1-E9, 2010. PMID: 19801145
286. Pillow, J.J., Hillman, N., Polglase, G.,R., Moss, T.J.M., Kallapur, S.G., Cheah, F-C., Kramer, B.W., and Jobe, A.H. Oxygen, temperature, and humidity of inspired gases and their influences on lung injury in near-term lambs. *Intensive Care Med.* *Intensive Care Med.*, 35:2157-2163, 2009. PMID: 19756508
287. Hillman, N.H., Kallapur, S.G., Pillow, J.J., Moss, T.J.M., Polglase, G.R., Nitsos, I., and Jobe, A.H. Airway injury from initiating ventilation in fetal sheep. *Pediatric. Res.*, 67:60-65, 2009. PMID: 19816239
288. Jobe, A.H., Nitsos, I., Pillow, J.J., Polglase, G.R., Kallapur, S.G., and Newnham, J.P. Betamethasone dose and formulation for induced lung maturation in fetal sheep. *Am. J. Obstet. Gynecol.*, 201:611 e1-7 2009 PMID: 2789907
289. Hillman, N., Pillow, J.J., Ball, M.K., Polglase, G.R., Kallapur, S.G., and Jobe, A.H. Antenatal and postnatal corticosteroid and resuscitation induced lung injury in preterm sheep. *Respir. Res.*, 10:124, 2009. PMID: 20003512
290. Ball, M.K., Hillman, N.H., Kallapur, S.G., Polglase, G.R., Jobe, A.H., Pillow, J.J. Body temperature on lung injury in ventilated preterm lambs. *Resuscitation*, 81:749-754, 2010. PMID: 20299144
291. Polglase, G.R., Hillman, N.H., Pillow, J.J., Nitsos, I., Newnham, J.P., Knox, C.L., Kallapur, S.G., and Jobe, A.H. Ventilation mediated injury following preterm delivery of Ureaplasma parvum colonized fetal lambs. *Pediatr. Res.*, 67:630-635, 2010. PMID: 20220549
292. Kunzmann, S., Glogger, K., Been, J.V., Kallapur, S.G., Nitsos, I., Moss, T.J., Speer, C.P., Newnham, J.P., Jobe, A.H., and Kramer, B.W. Thymic changes after chorioamnionitis induced by intra-amniotic lipopolysaccharide in fetal sheep. *Am. J. Obstet. Gynecol.* 202:476.e1-9, 2010. PMID-20452494
293. Shah, T.A., Hillman, N., Nitsos, I., Polglase, G., Pillow, J.J., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Pulmonary and systemic expression of monocyte chemotactic proteins in preterm sheep fetuses exposed to LPS induced chorioamnionitis. *Pediatr. Res.* 68:210-215, 2010. PMID: 20517173

294. Polglase, G.R., Dalton, R.G.B., Nitsos, I., Knox, C.L., Pillow, J.J., Jobe, A.H., Moss, T.J.M., Newnham, J.P., and Kallapur, S.G. Airway and Vessel Development in Preterm Lambs Chronically Colonized with *Ureaplasma Parvum*. *Am J Physiol. Lung Cell Mol. Physiol.* 299:L232-234, 2010. PMID:20495079
295. Knox, C.L., Dando, S.J., Nitsos, I., Kallapur, S.G., Jobe, A.H., Payton, D., Moss, T.J.M., and Newnham, J.P. Severity of Chorioamnionitis in Pregnant Sheep is Associated with *in vivo* Variation of the Surface Exposed Multiple Banded Antigen of *Ureaplasma parvum*. *Biol. Reprod.*, 83:415-426, 2010. PMID-20519696
296. Dando, S.J., Nitsos, I., Newnham, J.P., Jobe, A.H., Moss, T.J., and Knox, C.L. Maternal administration of erythromycin fails to eradicate intrauterine ureaplasma infection in an ovine model. *Biol. Reprod.*, 83:616-622, 2010. PMID: 20610808
297. Collins, J.J.P., Kallapur, S.G., Knox, C.L., Nitsos, I., Polglase, G.R., Pillow, J.J., Kuypers, E., Newnham, J.P., Jobe, A.H., and Kramer, B.W. Inflammation in fetal sheep from intra-amniotic injection of *Ureaplasma parvum*. *Am. J. Physiol. Lung.* 299:L852-L860, 2010. PMID: 20935228
298. Pasha, O., Goldenberg, R.L., McClure, E.M., Saleem, S., Goudar, S.S., Althabe, F., Patel, A., Esamai, F., Garces, A., Chomba, E., Mazariegos, M., Kodkany, B., Belizan, J.M., Derman, R.J., Hibberd, P.L., Carlo, W.A., Liechty, E., Hambridge, K.M., Buekens, P., Howard-Grabman, L., Stalls, S., Koso-Thomas, M., Jobe, A.H., Wright, L.L. Communities, Birth Attendants, and Health Facilities: A continuum of emergency material and newborn care (The Global Network's EmONC Trial). In: *BMC Pregnancy and Childbirth*, 10:82, 2010. PMID: 21156060
299. Kemp, M.W., Sato, M., Nitsos, I., Jobe, A.H., Kallapur, S.G., and Newnham, J.P. Exposure to in-utero lipopolysaccharide induces inflammation in the fetal ovine skin. *Reprod. Sci.*, 18:88-98, 2011. PMID: 20923949
300. Hillman, N., Kallapur, S.G., Pillow, J.J., Nitsos, I., Polglase, G.R., Ikegami, M., and Jobe, A.H. Inhibitors of inflammation and endogenous surfactant pool size as modulators of lung injury with initiation of ventilation in preterm sheep. *Respir. Res.*, 11:151, 2010. PMID: 21034485
301. Hillman, N., Polglase, G.R., Pillow, J.J., Saito, M., Kallapur, S.G., and Jobe, A.H. Inflammation and lung maturation from stretch injury in fetal preterm sheep. *Am. J. Physiol.*, 300:L232-L241, 2011 PMID: 20134485
302. Wolfs, T.G., Kallapur, S.G., Polglase, G.R., Pillow, J.J., Nitsos, I., Newnham, J.P., Chougnet, C.A., Kroon, E., Spierings, J., Williams, C.H., Jobe, A.H., and Kramer, B.W. IL-1 α mediated chorioamnionitis induces depletion of FoxP3+ cells and ileal inflammation in the ovine fetal gut. *PLoS One*, 6:e18355, 2011. PMID: 21479249
303. Berry, C.A., Nitsos, I., Hillman, N.H., Pillow, J.J., Polglase, G.R., Kramer, B.W., Kemp, M.W., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Interleukin 1 in lipopolysaccharide induced chorioamnionitis in fetal sheep. *Reprod. Sci.* 18:1092-1102, 2011. PMID: 21493953
304. Kamath, B.D., Macquire, E.R., McClure, E.M., Goldenberg, R.L., and Jobe, A.H. Neonatal mortality from respiratory distress syndrome: Lessons for low-resource countries. *Pediatrics*, 127:1139-1146, 2011. PMID: 21536613
305. Lee, A.J.X., Lambermont, V.A.C., Pillow, J. Jane, Polglase, G.P., Nitsos, I., Newnham, J.P., Beilharz, M.W., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. Fetal responses to lipopolysaccharide-induced chorioamnionitis alter immune and airway responses in 7-week old sheep. *Am. J. Obstet. Gynecol.*, 204:364e17-e24, 2011. PMID: 21257142
306. Pillow, J.J., Musk, G.C., McLean, C.M., Polglase, G.R., Dalton, R.G.B., Jobe, A.H., and Suki, B. Variable ventilation improves ventilation and lung compliance in preterm lambs. *Intensive Care Med.*, 37:1352-1359, 2011. PMID: 21567115
307. Goldenberg, R.L., McClure, E.M., MacGuire, E.R., Kamath, B., and Jobe, A.H. for the MANDATE TEAM. The historical reduction in hypertension related maternal mortality in developed countries: Lessons learned for developing countries. *Intl. J. Ob/Gyn.* 113:91-95, 2011. PMID: 21349517.

308. Kallapur, S.G., Kramer, B.W., Knox, C.L., Berry, C.A., Collins, J.J.P., Kemp, M.W., Nitsos, I., Polglase, G.R., Robinson, J., Hillman, N.H., Newnham, J.P., Chougnet, C., and Jobe, A.H. Chronic fetal exposure to *Ureaplasma parvum* suppresses innate immune responses in sheep. *J Immunol.*, 187:2688-2695, 2011 PMID: 21784974
309. Kallapur, S.G., Kramer, B.W., Nitsos, I., Pillow, J.P., Collins, J.J.P., Polglase, G.R., Newnham, J.P., and Jobe, A.H. Pulmonary and systemic inflammatory responses to intra-amniotic IL-1 alpha in fetal sheep. *Am. J. Physiol. Lung Cell Mol. Physiol.* 301:L285-L295, 2011. PMID: 21665964
310. Kunzmann, S., Collins, J.J.P., Yang, Y., Uhlig, S., Kallapur, S.G., Speer, C.P., Jobe, A.H., and Kramer, B.W. Antenatal inflammation influences caveolin-1 expression and associated cellular signaling pathways in preterm lungs. *Am. J. Respir. Lung Mol. Physiol.*, 292:L223-L231, 2011. PMID: 16936247
311. McClure, E.M., Pasha, O., Goudar, S.S., Chomba, E., Garces, A., Tshefu, A., Althabe, F., Esamai, F., Patel, A., Wright, L.L., Moore, J., Kodkany, B.S., Belizan, J.M., Saleem, S., Derman, R.J., Carlo, W.A., Hambidge, K.M., Buekens, P., Liechty, E.A., Bose, C., Koso-Thomas, M., Jobe, A.H., Goldenberg, R.L. Epidemiology of stillbirth in low-middle income countries: a global network study. *Acta Obstet. Gynecol. Scand.*, 90:1379-1385, 2011. PMID: 21916854
312. Hillman, N.H., Nitsos, I., Berry, C., Pillow, J.J., Kallapur, S.G., Jobe, A.H. Positive end-expiratory pressure and surfactant decrease lung injury during initiation of ventilation in fetal sheep. *Am. J. Physiol. Lung Cell Mol. Physiol.* 301:L712-L720, 2011. PMID: 21856815
313. McClure EM, de Graft-Johnson J, Jobe AH, Wall S, Koblinsky M, Moran A, Wright LL, Mwebesa W, Koso-Thomas M, Goldenberg RL. Maternal and child health integrated project (MCHIP) antenatal corticosteroid conference working group. A conference report on prenatal corticosteroid use in low- and middle-income countries. *Int. J. Gynaecol. Obstet.*, 115:215-219, 2011. PMID: 21930269
314. Kamath-Rayne BD, Macquire ER, Goldenberg RL, Jobe AH. Clinical Algorithms for Identification of Sick Newborns in Community Based Settings. *Acta Paediatrica* 101:344-351 2011. PMID: 22122011
315. Kemp MW, Saito M, Kallapur SG, Jobe AH, Keelan JA, Li S, Kramer B, Zhang L, Knox C, Yaegashi N, Newnham JP. Inflammation of the fetal ovine skin following in utero exposure to *Ureaplasma parvum*. *Reprod. Sci.*, 18:1128-1137, 2011. PMID: 22031190
316. Kuypers, E., Collins, J.J., Kramer, B.W., Ofman, G., Nitsos, I., Pillow, J.J., Polglase, G.R., Kemp, M.W., Newnham, J.P., Gavilanes, A.W., Nowacki, R., Ikegami, M., Jobe, A.H., and Kallapur, S.G. Intra-amniotic LPS and antenatal betamethasone: inflammation and maturation in preterm lamb lungs. *Am. J. Physiol. Lung Cell Mol. Physiol.* 302:L3880-389, 2012. PMID: 22160306
317. Dando, S.J., Nitsos, I., Kallapur, S.G., Newnham, J.P., Polglase, G.R., Pillow, J.J., Jobe, A.H., Timms, P., and Knox, C.L. The role of the multiple banded antigen of *Ureaplasma parvum* in intra-amniotic infection: Major virulence factor or decoy? *PLoS One*, 7:e29856, 2012. PMID: 2225380
318. Tkach, J.A., Hillman, N.H., Jobe, A.H., Loew, W., Pratt, R.G., Daniels, B.R., Kallapur, S.G., Kline-Fath, B.M., Merhar, S.L., Giaquinto, R.O., Winter, P.M., Li, Y., Ikegami, M., Whitsett, J.A., and Dumoulin, C.L. An MRI system for imaging neonates in the NICU: initial feasibility study. *Pediatr. Radiol.* 42:1347-1356, 2012. PMID: 22735927
319. Belizán, J.M., McClure, E.M., Goudar, S.S., Pasha, O., Esamai, F., Patel, A., Chomba, E., Garces, A., Wright, L.L., Koso-Thomas, M., Moore, J., Althabe, F., Kodkany, B.S., Sami, N., Manasyan, A., Derman, R.J., Liechty, E.A., Hibberd, P., Carlo, W.A., Hambridge, K.M., Buekens, P., Jobe, A.H., and Goldenberg, R.L. Neonatal death in low-to middle-income countries: a global network study. *Am. J. Perinatol.*, 29:649-656, 2012. PMID: 22644832
320. Goudar, S.S., Carlo, W.A., McClure, E.M., Pasha, O., Patel, A., Esamai, F., Chomba, E., Garces, A., Althabe, F., Kodkany, B., Sami, N., Derman, R.J., Hibberd, P.L., Liechty, E.A., Krebs, N.F., Hambridge, K.M., Buekens, P., Moore, J., Wallace, D., Jobe, A.H., Koso-Thomas, M., Wright, L.L., and Goldenberg, R.L. The maternal

and newborn health registry study of the global network for women's and children's health research. *Int. J. Gynaecol. Obstet.*, 118:190-193, 2012. PMID: 22738806

321. Lambermont, V.A., Been, J.V., Kunzmann, S., Vanterpool, S.F., Newnham, J.P., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. Sex differences in lung gas volumes after lipopolysaccharide-induced chorioamnionitis in fetal sheep. *Gend. Med.* 9:278-286, 2012. PMID: 22763277
322. Vlassaks, E., Gavilanes, A.W.D., Bieghs, V., Reinartz, A., Gassler, N., Van Gorp, P.J., Gijbels, M.J.J., Bekers, O., Zimmermann, L.J.I., Pillow, J.J., Polglase, G.R., Nitsos, I., Newnham, J.P., Kallapur, S.G., Jobe, A.H., Shiri-Sverdlov, R., and B.W. Kramer. Antenatal exposure to chorioamnionitis affects lipid metabolism in 7-week-old sheep. *J Dev Orig Health Dis.*, 3:103-110, 2012. PMID: 25101920
323. Kuypers E, Collins JJ, Jellema RK, Wolfs TG, Kemp MW, Nitsos I, Pillow JJ, Polglase GR, Newnham JP, Germeraad WT, Kallapur SG, Jobe AH, and Kramer BW.: Ovine fetal thymus response to lipopolysaccharide-induced chorioamnionitis and antenatal corticosteroids. *PLoS One* 7:e38257, 2012. PMID: 22693607
324. Zhang, L., Saito, M., Jobe, A., Kallapur, S., Newnham, J., Cox, T., Kramer, B., Yang, H., and Kemp, M. Intra-amniotic administration of *E. coli* lipopolysaccharides causes sustained inflammation of the fetal skin in sheep. *Reprod. Sci.*, 19:1181-118 2012. PMID: 2259848
325. Hillman, N.H., Moss, T.J., Nitsos, I., and Jobe, A.H. Moderate tidal volumes and oxygen exposure during initiation of ventilation in preterm fetal sheep. *Pediatr. Res.*, 72:593-599, 2012. PMID: 23037872
326. Althabe, F., Belizán, J.M., Mazzoni, A., Berrueta, M., Hemingway-Foday, J., Koso-Thomas, M., McClure, E., Chomba, E., Garces, A., Goudar, S., Kodkany, B., Saleem, S., Pasha, O., Patel, A., Esamai, F., Carlo, W.A., Krebs, N.F., Derman, R.J., Goldenberg, R.L., Hibberd, P., Liechty, E.A., Wright, L.L., Bergel, E.F., Jobe, A.H., and Buekens, P. Antenatal corticosteroids trial in preterm births to increase neonatal survival in developing countries: Study protocol. *Reprod. Health.* 9:22, 2012. PMID: 22992312
327. Collins, J.J., Kuypers, E., Nitsos, I., Pillow, J.J., Polglase, G.R., Kemp, M.W., Newnham, J.P., Cleutjens, J.P., Frints, S.G., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. LPS-induced chorioamnionitis and antenatal corticosteroids modulate Shh signaling in the ovine fetal lung. *Am. J. Physiol. Lung Cell Mol. Physiol.* 303:L778-87, 2012. PMID: 22962010
328. Snyder, C.C., Wolfe, K.B., Gisslen, T., Knox, C.L., Kemp, M.W., Kramer, B.W., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Modulation of lipopolysaccharide-induced chorioamnionitis by *Ureaplasma parvum* in sheep. *Am. J. Obstet. Gynecol.* 208:399.e1-e8, 2013. PMID: 23410690
329. Kuypers, E., Wolfs, T.G., Collins, J.J., Jellema, R.K., Newnham, J.P., Kemp, M.W., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. Intra-amniotic lipopolysaccharide exposure changes cell populations and structure of the ovine fetal thymus. *Reprod. Sci.* 20:946-956, 2013. PMID: 23314960
330. Collins, J.J., Kunzmann, S., Kuypers, E., Kemp, M.W., Speer, C.P., Newnham, J.P., Kallapur, S.G., Jobe, A.H., and Kramer, B.W. Antenatal glucocorticoids counteract LPS changes in TGF β -pathway and caveolin-1 in ovine fetal lung. *Am. J. Physiol. Lung Cell Mol. Physiol.* 304:L438-444, 2013. PMID: 2333802
331. McClure, E.M., Rouse, D.J., Macguire, E.R., Jones, B., Griffin, J.B., Jobe, A.H., Kamath-Rayne, B.D., Shaffer, C., and Goldenberg, R.L. The MANDATE model for evaluating interventions to reduce postpartum hemorrhage. *Int. J. Gynaecol. Obstet.* 121:5-9, 2013. PMID: 23313144
332. Wolfs, T.G.A.M., Kallapur, S.G., Knox, C.L., Thuijls, G., Nitsos, I., Polglase, G.R., Collins, J.J.P., Kroon, E., Spierings, J., Shroyer, N.F., Newnham, J.P., Jobe, A.H., and Kramer, B.W. Antenatal *Ureaplasma* infection impairs development of the fetal ovine gut in an IL-1 dependent manner. *Mucosal Immunol.*, 6:547-556, 2013. PMID: 23149664
333. Collins, J.P., Kallapur, S.G., Knox, C.L., Kemp, M.W., Kuypers, E., Zimmerman, L.J.I., Newnham, J.P., Jobe, A.H., and Kramer, B.W. Repetitive intra-uterine exposures to inflammatory stimuli attenuated transforming growth factor β signaling in the ovine fetal lung. *Neonatology.* 104:49-55, 2013. PMID: 23711546

334. Robinson, J.W., Dando, S.J., Nitsos, I., Newnham, J., Polglase, G.R., Kallapur, S.G., Pillow, J.J., Kramer, B.W., Jobe, A.H., Payton, D., and Knox, C.L. *Ureaplasma parvum* serovar 3 multiple banded antigen size variation after chronic intra-amniotic infection/colonization. *PLoS One*. 8:e62746, 2013. PMID: 23638142
335. Kallapur, S.G., Presicce, P., SenthamaraiKannan, P., Alvarez, M., Tarantal, A.F., Miller, L.M., Jobe, A.H., and Chougnnet, C.A. Intra-amniotic IL-1 β induces fetal inflammation in Rhesus monkeys and alters the regulatory T cell/IL-17 balance. *J. Immunol.*, 191:1102-1109, 2013. PMID: 23794628
336. Gisslen, T., Hillman, N.H., Musk, G.C., Kemp, M.W., Kramer, B.W., SenthamaraiKannan, P., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Repeated exposure to intra-amniotic LPS partially protects against adverse effects of intravenous LPS in preterm lambs. *Innate Immun.*, 20:214-224, 2014. PMID:23751819
337. Kemp, M.W., Kannan, P.S., Saito, M., Newnham, J.P., Cox, T., Jobe, A.H., Kramer, B.W., and Kallapur, S.G. Selective exposure of the fetal lung and skin/amnion (but not gastro-intestinal tract) to LPS elicits acute systemic inflammation in fetal sheep. *PLoS One*, 8:e63355, 2013. PMID: 23691033
338. Wolfe, K.B., Snyder, C.C., Gisslen, T., Kemp, M.W., Newnham, J.P., Kramer, B.W., Jobe, A.H., and Kallapur, S.G. Modulation of lipopolysaccharide-induced chorioamnionitis in fetal sheep by maternal betamethasone. *Reprod. Sci.*, 20:1447-1454, 2013. PMID: 23653388
339. Hillman, N.H., Kemp, M.W., Noble, P.B., Kallapur, S.G., and Jobe, A.H. Sustained inflation at birth did not protect preterm fetal sheep from lung injury. *Am J Physiol, Lung Cell Mol Physiol.*, 305:446-453, 2013. PMID: 23873843
340. Pasha, O., McClure, E.M., Wright, L.L., Saleem, S., Goudar, S.S., Chomba, E., Patel, A., Esamai, F., Garces, A., Althabe, F., Kodkany, B., Mabeya, H., Manasyan, A., Carlo, W.A., Derman, R.J., Hibbert, P.L., Liechty, E.K., Krebs, N., Hambridge, K.M., Buekens, P., Moore, J., Jobe, A.H., Koso-Thomas, M., Wallace, D.D., Stalls, S., and Goldenberg, R.L. A combined community- and facility-based approach to improve pregnancy outcome in low-resource settings: a Global Network cluster randomized trial. *BMC Med.*, 11:215, 2013 PMID: 24090370
341. Kuypers, E., Willems, M.G., Collins, J.J., Wolfs, T.G., Nitsos, I., Pillow, J.J., Polglase, G.R., Kamp, M.W., Newnham, J.P., Delhaas, T., Jobe, A.H., Kallapur, S.G., and Kramer, B.W. Altered canonical wingless-int (Wnt) signaling in the ovine fetal lung after exposure to intra-amniotic lipopolysaccharide (LPS) and antenatal betamethasone. *Pediatr. Res.*, 75:281-286, 2014 PMID: 24232635
342. Saito, M., Payne, M.S., Miura, Y., Ireland, D.J., Stock, S., Kallapur, S.G., Kannan, P.S., Newnham, J.P., Kramer, B.W., Jobe, A.H., Keelan, J.A., and Kemp, M.W. Polymyxin B Agonist Capture Therapy for Intrauterine Inflammation: Proof-of-Principle in a Fetal Ovine Model. *Reprod. Sci.* 21:623-631, 2014. PMID: 24220658
343. Kuypers, E., Jellema, R.K., Ophelders, D.R., Dudink, J., Nikiforou, M., Wolfs, T.G., Nitsos, I., Pillow, J.J., Polglase, G.R., Kemp, M.W., Saito, M., Newnham, J.P., Jobe, A.H., Kallapur, S.G., and Kramer, B.W. Effects of intra-amniotic lipopolysaccharide and maternal betamethasone on brain inflammation in fetal sheep. *PLoS One*, 8:e81644, 2013 PMID: 3866104
344. Dando, S.J., Nitsos, I., Polglase, G.R., Newnham, J.P., Jobe, A.H., and Knox, C.L. *Ureaplasma parvum* undergoes selection in utero resulting in genetically diverse isolates colonizing the chorioamnion of fetal sheep. *Biol. Reprod.* 90:27, 2014. PMID: 24337316
345. Polglase, G.R., Tingay, D.G., Bhatia, R., Berry, C.A., Kopotic, R.J., Kopotic, C.P., Song, Y., Szyld, E., Jobe, A.H., and Pillow, J.J. Pressure-versus volume-limited sustained inflations at resuscitation of premature newborn lambs. *BMC Pediatrics*, 14:43-52, 2014. PMID: 24529320
346. Wolfs, T.G., Kramer, B.W., Thuijls, G., Kemp, M.W., Saito, M., Willems, M.G., Senthamarai-Kannan, P., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Chorioamnionitis-induced fetal gut injury is mediated by direct

gut exposure of inflammatory mediators or by lung inflammation. *Am J Physiol Gastrointest Liver Physiol.* 306:G382-G393, 2014. PMID 24458021

347. Lambermont, V.A., Kukypers, E., Collins, J.J., Pillow, J.J., Newnham, J.P., Polglase, G.R., Nitsos, I., Kemp, M.W., Jobe, A.H., Kallapur, S.G., Kramer, B.W. Effects of intra-amniotic lipopolysaccharide exposure on the fetal lamb lung as gestation advances. *Pediatr. Res.* 75:500-506, 2014 PMID: 24441106
348. Kemp, M.W., Miura, Y., Payne, M.S., Watts, M.R., Megharaj, S., Jobe, A.H., Kallapur, S.G., Saito, M., Spiller, O.B., Keelan, J.A., and Newnham, J.P. Repeated maternal intramuscular or intra-amniotic erythromycin incompletely resolves intrauterine *Ureaplasma parvum* infection in a sheep model of pregnancy. *Am J Obstet Gynecol.*, 211:134.e1-9, 2014. PMID: 24589547
349. Hillman, N.H., Gisslen, T., Polglase, G.R., Kallapur, S.G., Jobe, A.H. Ventilation induced increases in EGFR ligand mRNA are not altered by intra-amniotic LPS or *Ureaplasma* in preterm lambs. *PLoS One*, 9:e96087, 2014. PMID: 24788984
350. Payne, M.S., Kemp, M.W., Kallapur, S.G., Senthamarai Kannan, P., Masatoshi, S., Miura, Y., Newnham, J.P., Stock, S., Ireland, D.J., Kramer, B.W., and Jobe, H. Intrauterine *Candida albicans* infection elicits severe inflammation in fetal sheep. *Pediatr. Res.*, 75:716-722, 2014. PMID: 24632681
351. Ahmed, S., Kemp, M.W., Payne, M.S., Kallapur, S.G., Stock, S.J., Marsh, H.C., Jobe, A.H., Newnham, J.P., and Spiller, O.B. Comparison of complement activity in adult and preterm sheep serum. *Am. J. Reprod. Immunol.* 73:232-241, 2014. PMID: 25046333
352. Saleem, S., McClure, E. M., Goudar, S. S., Patel, A., Esamai, F., Garces, A., Chomba, E., Althabe, F., Moore, J., Kodkany, B., Pasha, O., Belizan, J., Mayansyan, A., Derman, R. J., Hibberd, P. L., Liechty, E. A., Krebs, N. F., Hambidge, K. M., Buekens, P., Carlo, W. A., Wright, L. L., Koso-Thomas, M., Jobe, A. H., Goldenberg, R. L., and Global Network Maternal Newborn Health Registry Study, Investigators. A prospective study of maternal, fetal and neonatal deaths in low- and middle-income countries. *Bull. World Health Organ.*, 92:605-612, 2014. PMID: 25177075
353. Kemp, M. W., Miura, Y., Payne, M. S., Jobe, A. H., Kallapur, S. G., Saito, M., Stock, S. J., Spiller, O. B., Ireland, D.J., Yaegashi, N., Clarke, M., Hahne, D., Rodger, J., Keelan, J. A., and Newnham, J. P. Maternal intravenous administration of azithromycin results in significant fetal uptake in a sheep model of second trimester pregnancy. *Antimicrob Agents Chemother*, 58: 6581-6589, 2014. PMID: 25155606
354. Schmidt, A. F., Kannan, P. S., Kemp, M. W., Kramer, B. W., Newnham, J. P., Jobe, A. H., and Kallapur, S. G. Intra-amniotic LPS modulates expression of antimicrobial peptides in the fetal sheep lung. *Pediatr. Res.* 76:441-447, 2014. PMID: 25105257
355. Miura, Y., Payne, M. S., Keelan, J. A., Noe, A., Carter, S., Watts, R., Spiller, O. B., Jobe, A. H., Kallapur, S. G., Saito, M., Stock, S. J., Newnham, J. P., and Kemp, M. W. Maternal Intravenous Treatment with either Azithromycin or Solithromycin Clears *Ureaplasma parvum* from the Amniotic Fluid in an Ovine Model of Intrauterine Infection. *Antimicrob Agents Chemother.* 58: 5423-5420, 2014. PMID: 24982089
356. Kuypers, E., Willems, M.G., Jellema, R.K., Kemp, M.W., Newnham, J.P., Delhaas, T., Kallapur, S.G., Jobe, A.H., Wolfs, T.G., and Kramer, B.W. Responses of the spleen to intra-amniotic lipopolysaccharide (LPS) exposure in fetal sheep. *Pediatr Res.*, 77:29-35, 2015. PMID 25285474
357. Hillman, N.H., Kemp, M.W., Miura, Y., Kallapur, S.G., Jobe, A.H. Sustained inflation at birth did not alter lung injury from mechanical ventilation in surfactant-treated fetal lambs. *PLoS One*, 24:9:e113473, 2014. PMID 25419969
358. Presicce, P., Senthamarai Kannan, P., Alvarez, M., Rueda, C.M., Cappelletti, M., Miller, L.A., Jobe, A.H., Chougnnet, C.A., and Kallapur, S.G. Neutrophil recruitment and activation in decidua with intra-amniotic IL-1 β in the preterm rhesus macaque. *Biol. Reprod.*, 92:56. PMID: 25537373
359. Althabe, F., Belizan, J.M., McClure, E.M., Hemmingway-Foday, J., Berrueta, M., Mazzoni, A., Ciganda, A.,

- Goudar, S.S., Kodkany, B.S., Mahantshetti, N.S., Dhaded, S.M., Katageri, G.M., Metgud, M.C., Joshi, A.M., Bellad, M.B., Honnunga, N.V., Derman, R.J., Saleem, S., Pasha, O., Ali, S., Hasnain, F., Goldenberg, R.L., Esamai, F., Nyongesa, P., Ayunga, S., Liechty, E.A., Garces, A.L., Figueroa, L., Hambidge, K.M., Krebs, N.F., Patel, A., Bhandarkar, A., Waikar, M., Hibberd, P.L., Chomba, E., Carlo, W.A., Mwiche, A., Chiwila, M., Manasyan, A., Pineda, S., Meleth, S., Thorsten, V., Stolka, K., Wallace, D.D., Koso-Thomas, M., Jobe, A.H., and Buekens, P.M. A population-based, multifaceted strategy to implement antenatal corticosteroid treatment versus standard care for the reduction of neonatal mortality due to preterm birth in low-income and middle-income countries: the ACT cluster-randomized trial. *Lancet*, 385:629-639, 2015. PMID: 25458726
360. Kamath-Rayne, B., Griffin, J.B., Moran, K., Jones, B., Downs, A., McClure, E.M., Goldenberg, R.L., Rouse, D., and Jobe, A.H. Resuscitation and obstetrical care to reduce intrapartum-related neonatal deaths: A MANDATE study. *Matern Child Health J.*, 19:1853-1863, 2015. PMID: 25656720
361. Maneenil, G., Payne, M.S., Kannan, P.S., Kallapur, S.G., Kramer, B.W., Newnham, J.P., Miura, Y., Jobe, A.H., and Kemp, M.W. Fluconazole treatment of intrauterine candida albicans infection in fetal sheep. *Pediatr. Res.*, 77:740-748, 2015. PMID 25760552
362. Maneenil, G., Kemp, M.W., Kannan, P.A., Kramer, B.W., Saito, M., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Oral, nasal and pharyngeal exposure to lipopolysaccharide causes a fetal inflammatory response in sheep. *PLoS One*, 10:e0119281, 2015. PMID: 25793992
363. Tingay, D.G., Polglase, G.R., Bhatia, R., Berry, C.A., Kopotic, R.J., Song, Y., Szyld, E., Jobe, A.H., and Pillow, J.J. Pressure-limited sustained inflation vs. gradual tidal inflations for resuscitation in preterm lambs. *J. Appl. Physiol.* 118:890-897, 2015. PMID: 25635005
364. Rueda, C.M., Wells, C.B., Gisslen, T., Jobe, A.H., Kallapur, S.G., and Chougnet, C.A. Effect of chorioamnionitis on regulatory T cells in moderate/late preterm neonates. *Hum. Immunol.* 76:65-73, 2015. PMID: 25451985
365. Rueda, C.M., Moreno-Fernandez, M., Jackson, C.M., Kallapur, S.G., Jobe, A.H., and Chougnet, C.A. Neonatal regulatory T cells have reduced capacity to suppress dendritic cell function. *Eur. J. Immunol.*, 45:2582-2592, 2015. PMID:26046326
366. Goldenberg, R.L., McClure, E.M., Bose, C.L., Jobe, A.H., and Belizan, J.M. Research results from a registry supporting efforts to improve maternal and child health in low and middle income countries. *Reprod. Health*, 12:54, 2015. PMID: 26032486
367. Pryhuber, G.S., Maitre, N.L., Ballard, R.A., Cifelli, D., Davis, S.D., Ellenberg, J.H., Greenberg, J.M., Kemp, J., Mariani, T.J., Panitch, H., Ren, C., Shaw, P., Taussig, L.M., and Hamvas, A. Prematurity and respiratory outcomes program (PROP): Study protocol of a prospective multicenter study of respiratory outcomes of preterm infants in the United States. *BMC Pediatr.*, 15:37, 2015. PMID: 25886363
368. Regan, J.K., Kannan, P.S., Kemp, M.W., Kramer, B.W., Newnham, J.P., Jobe, A.H., and Kallapur, S.G. Damage-associated molecular pattern and fetal membrane vascular injury and collagen disorganization in lipopolysaccharide-induced intra-amniotic inflammation in fetal sheep. *Reprod. Sci.* 23:69-80, 2015. PMID: 26156854
369. Poindexter, B.B., Feng, R., Schmidt, B., Aschner, J.L., Ballard, R.A., Hamvas, A., Reynolds, A.M., Shaw, P.A., Jobe, A.H. Prematurity and Respiratory Outcomes Program. Comparisons and Limitations of Current Definitions of Bronchopulmonary Dysplasia for the Prematurity and Respiratory Outcomes Program. *Ann. Am. Thorac. Soc.*, 12:1822-1830, 2015. PMID: 26397992
370. Sweeney, E.L., Kallapur, S.G., Gisslen, T., Lambers, D.S., Chougnet, C.A., Stephenson, S.A., Jobe, A.H., and Knox, C.L. Placental infection with *Ureaplasma* species is associated with histologic chorioamnionitis and adverse outcomes in moderate and later preterm infants. *J. Infect. Dis.*, 213:1340-1347, 2016. PMID: 26671889
371. Nikiforou, M., Kemp, M.W., van Gorp, R.H., Saito, M., Newnham, J.P., Reynaert, N.L., Janssen, L.W., Jobe,

- A.H., Kallapur, S.G., Kramer, B.W., and Wolfs, T.G. Selective IL-1 α exposure to the fetal gut, lung, and chorioamnion/skin causes intestinal inflammatory and developmental changes in fetal sheep. *Lab. Invest.*, 96:69-80, 2015. PMID: 26501868
372. Kemp, M.W., Molloy, T.J., Usuda, H., Woodward, E. Miura, Y., Payne, M.S., Ireland, D.J., Jobe, A.H., Kallapur, S.G., Stock, S.J., Spiller, O.B., Newnham, J.P., and Saito, M. Outside-in? Acute fetal systemic inflammation in very preterm chronically catheterized sheep fetuses is not driven by cells in the fetal blood. *Am. J Obstet. Gynecol.*, 214:281.e1-281.e10, 2016. PMID: 26408085
373. Ophelders DR, Gussenhoven R, Lammens M5, Küsters B, Kemp MW, Newnham JP, Payne MS, Kallapur SG, Jobe AH, Zimmermann LJ, Kramer BW, and Wolfs TG. Neuroinflammation and structural injury of the fetal ovine brain following intra-amniotic *Candida albicans* exposure. *J. Neuroinflammation*, 13:29, 2016. PMID: 26842664
374. Prince AL, Ma J, Kannan PS, Alvarez M, Gisslen T, Harris RA, Sweeney EL, Knox CL, Lambers DS, Jobe AH, Chougnnet CA, Kallapur SG, Aagaard KM. The placental microbiome is altered among subjects with spontaneous preterm birth with and without chorioamnionitis. *Am. J. Obstet. Gynecol.*, 214:627.e1-e16, 2016. PMID: 26965447
375. Gisslen T, Alvarez M, Wells C, Soo MT, Lambers DS, Knox CL, Meinzen-Derr JK, Chougnnet CA, Jobe AH, Kallapur SG., Fetal inflammation associated with minimal acute morbidity in moderate/late preterm infants. *Arch. Dis. Child. Fetal Neonatal. Ed.*, 101:F513-F519, 2016. PMID: 27010018
376. Rueda, C.M., Presicce, P., Jackson, C.M., Miller, L.A., Kallapur, S.G., Jobe, A.H., and Chougnnet, C.A., Lipopolysaccharide-Induced Chorioamnionitis Promotes IL-1-Dependent Inflammatory FOXP3+ CD4+ T Cells in the Fetal Rhesus Macaque. *J Immunol.* 196:3706-3715, 2016. PMID: 27036917
377. Stock, S.J., Patey, O., Thilaganathan, B., White, S., Furfaro, L.L., Payne, M.S., Spiller, O.B., Noé, A., Watts, R., Carter, S., Ireland, D.J., Jobe, A.H., Newnham, J.P., and Kemp, M.W. Intrauterine *Candida albicans* Infection Causes Systemic Fetal Candidiasis with Progressive Cardiac Dysfunction in a Sheep Model of Early Pregnancy., *Reprod Sci.*, 24:77-84, 2017. PMID: 27217375
378. McClure, E.M., Goldenberg, R.L., Jobe, A.H., Miodovnik, M., Koso-Thomas, M., Buekens, P., Belizan, J., and Althabe, F. Reducing neonatal mortality associated with preterm birth: gaps in knowledge of the impact of antenatal corticosteroids on preterm birth outcomes in low-middle income countries. *Reprod. Health*, 13:61, 2016. PMID: 27221397
379. Althabe, F., Thorsten, V., Klein, K., McClure, E.M., Hibberd, P.L., Goldenberg, R.L., Carlo, W.A., Garces, A., Patel, A., Pasha, O., Chomba, E., Krebs, N.F., Goudar, S., Derman, R.J., Esamai, F., Liechty, E.A., Hansen, N.I., Meleth, S., Wallace, D.D., Koso-Thomas, M., Jobe, A.H., Buekens, P.M., and Belizán, J.M., The Antenatal Corticosteroids Trial (ACT)'s explanations for neonatal mortality - a secondary analysis. *Reprod. Health.*, 13:62, 2016. PMID: 27220987
380. Goldenberg, R.L., Thorsten, V.R., Althabe, F., Saleem, S., Garces, A., Carlo, W.A., Pasha, O., Chomba, E., Goudar, S., Esamai, F., Krebs, N.F., Derman, R.J., Liechty, E.A., Patel, A., Hibberd, P.L., Buekens, P.M., Koso-Thomas, M., Miodovnik, M., Jobe, A.H., Wallace, D.D., Belizán, J.M., McClure, E.M., The global network antenatal corticosteroids trial: impact on stillbirth., *Reprod. Health*, 13:68, 2016. PMID: 27255082
381. McDowell, K.M., Jobe, A.H., Fenchel, M., Hardie, W.D., Gisslen, T., Young, L.R., Chougnnet, C.A., Davis, S.D., and Kallapur, S.G. Pulmonary Morbidity in Infancy after Exposure to Chorioamnionitis in Late Preterm Infants. *Ann Am. Thorac. Soc.*, 13:867-876, 2016. PMID: 27015030
382. Nikiforou M, Jacobs EM, Kemp MW, Hornef MW, Payne MS, Saito M, Newnham JP, Janssen LE, Jobe AH, Kallapur SG, Kramer BW, and Wolfs TG. Intra-amniotic *Candida albicans* infection induces mucosal injury and inflammation in the ovine fetal intestine. *Sci. Rep.*, 6:29806, 2016. PMID: 27411776
383. Petersen RY, Royse E, Kemp MW, Miura Y, Noe A, Jobe AH, and Hillman NH. Distending Pressure Did Not Activate Acute Phase or Inflammatory Responses in the Airways and Lungs of Fetal, Preterm Lambs. *PLoS*

One, 11:e0159754, 2016. PMID: 27463520

384. Deptula N, Royse E, Kemp MW, Miura Y, Kallapur SG, Jobe AH, and Hillman NH. Brief mechanical ventilation causes differential epithelial repair along the airways of fetal, preterm lambs. *Am. J. Physiol. Lung Cell. Mol. Physiol.*, 311:L412-420, 2016. PMID: 27343193
385. Kemp MW, Saito M, Usuda H, Molloy TJ, Miura Y, Sato S, Watanabe S, Clarke M, Fossler M, Schmidt A, Kallapur SG, Kramer BW, Newnham JP, and Jobe AH. Maternofetal pharmacokinetics and fetal lung responses in chronically catheterized sheep receiving constant, low-dose infusions of betamethasone phosphate. *Am J Obstet. Gynecol.*, 215:775.e1-12, 2016. PMID: 27555319
386. Schmidt AF, Kannan PS, Chougnet CA, Danzer SC, Miller LA, Jobe AH, and Kallapur SG. Intra-amniotic LPS causes acute neuroinflammation in preterm rhesus macaques. *J Neuroinflammation*, 13:238, 2016. PMID: 27596440
387. Senthamarai Kannan P, Presicce P, Rueda CM, Maneenil G, Schmidt AF, Miller LA, Waites KB, Jobe AH, Kallapur SG, and Chougnet CA. Intra-amniotic *Ureaplasma parvum*-Induced Maternal and Fetal Inflammation and Immune Responses in Rhesus Macaques. *J. Infect. Dis.*, 214:1597-160, 2016. PMID: 27601620
388. Du Y, Kitzmiller JA, Sridharan A, Perl AK, Bridges JP, Misra RS, Pryhuber GS, Mariani TJ, Bhattacharya S, Guo M, Potter SS, Dexheimer P, Aronow B, Jobe AH, Whitsett JA, Xu Y. Lung Gene Expression Analysis (LGEA): an integrative web portal for comprehensive gene expression data analysis in lung development. *Thorax*, 72:481-484, 2017. PMID: 28070014
389. Gussenhoven R, Ophelders DRMG, Kemp MW, Payne MS, Spiller OB, Beeton ML, Stock SJ, Cillero-Pastor B, Barré FPY, Heeren RMA, Kessels L, Stevens B, Rutten BP, Kallapur SG, Jobe AH, Kramer BW, Wolfs TGAM. The paradoxical effects of chronic intra-amniotic *Ureaplasma parvum* exposure on ovine fetal brain development. *Dev Neurosci.*, 39:472-486, 2017, PMID:28848098
390. Griffin JB, McClure EM, Kamath-Rayne BD, Hepler BM, Rouse DJ, Jobe AH, Goldenberg RL. Interventions to reduce neonatal mortality: a mathematical model to evaluate impact of interventions in sub-Saharan Africa. *Acta Paediatr*, 106:1286-1295, 2017. PMID: 28370230
391. Sweeney EL, Kallapur SG, Meawad S, Gisslen T, Stephenson S-A, Jobe AH and Knox CL. *Ureaplasma* Species Multiple Banded Antigen (MBA) Variation Is Associated with the Severity of Inflammation *In vivo* and *In vitro* in Human Placentae. *Front Cell Infect Microbiol.*, 7:123, 2017. PMID: 28451522
392. Goldenberg RL, Saleem S, Ali S, Moore JL, Lokangako A, Tshefu A, Mwenechanya M, Chomba E, Garces A, Figueroa L, Goudar S, Kodkany B, Patel A, Esamai F, Nsyonge P, Harrison MS, Bauserman M, Bose CL, Krebs NF, Hambidge KM, Derman RJ, Hibberd PL, Liechty EA, Wallace DD, Belizan JM, Miodovnik M, Koso-Thomas M, Carlo WA, Jobe AH and McClure EM. Maternal near miss in low-resource areas. *Int J Gynaecol Obstet.*, 138:347-355, 2017 PMID: 28513837
393. Usuda H, Watanabe S, Miura Y, Saito M, Musk GC, Rittenschober-Böhm J, Ikeda H, Sato S, Hanita T, Matsuda T, Jobe AH, Newnham JP, Stock SJ, Kemp MW. Successful maintenance of key physiological parameters in preterm lambs treated with ex vivo uterine environment therapy for a period of 1 week. *Am J Obstet Gynecol.*, 217:457.e1-13, 2017. PMID: 28646647
394. Willems, MGM, Kemp, MW, Fast, LA, Wagemaker, NMM, Janssen, LEW, Newnham, JP, Payne, MS, Spiller, OB, Kallapur, SG, Jobe, AH, Delhaas, T, Kramer, BW, Wolfs, TGAM. Pulmonary vascular changes in extremely preterm sheep after intra-amniotic exposure to *Ureaplasma parvum* and lipopolysaccharide. *PLoS One*, 12:e0180114, 2017. PMID: 28666032
395. Boonkasidecha S, Kannan PS., Kallapur SG, Jobe AH and Kemp M. Fetal skin as a proinflammatory organ: Evidence from a primate model of chorioamnionitis. *PLoS One*. 12:e0184938, 2017. PMID: 28957335.
396. Schmidt AF, Kemp MW, Rittenschober-Böhm J, Kannan PS, Usuda H, Saito M, Furfaro L, Watanabe S, Stock S, Kramer B W, Newnham JP, Kallapur SG, Jobe AH. Low-dose betamethasone-acetate for fetal lung

- maturation in preterm sheep. *Am J Obstet Gynecol.* 218:132.e1-9, 2018. PMID: 29138038
397. Travers CP, Carlo WA, McDonald SA, Das A, Bell EF, Ambvalavanan N, Jobe AH, Goldberg RN, D'Angio CT, Stoll BJ, Shankaran S, Laptook AR, Schmidt B, Walsh MC, Sanchez PJ, Ball B, Hale EC, Newman NS, Higgins RD. Mortality and pulmonary outcomes of extremely preterm infants exposed to antenatal corticosteroids. *Am J Obstet Gynecol.* 2018: 130.e1-13, 2018. PMID: 291380
 398. Jackson CM, Wells CB, Tabangin ME, Meinzen-Derr J, Jobe AH, Chougnnet CA. Pro-inflammatory immune responses in leukocytes of premature infants exposed to maternal chorioamnionitis or funisitis. *Pediatr Res.*, 81:384-390, 2017. PMID: 27814345
 399. Schmidt AF, Kemp MW, Kannan PS, Kramer BW, Newnham JP, Kallapur SG, Jobe AH. Antenatal dexamethasone vs. betamethasone dosing for lung maturation in fetal sheep. *Pediatr Res.*, 81:496-503, 2017. PMID: 27861467
 400. Kemp MW, Ahmed S, Beeton ML, Payne MS, Saito M, Miura Y, Usuda H, Kallapur SG, Kramer BW, Stock SJ, Jobe AH, Newnham JP, Spiller OB. Fetal *Ureaplasma parvum* bacteremia as a function of gestation-dependent complement insufficiency: Evidence from a sheep model of pregnancy. *Am J Reprod Immunol.*, 77:e12599, 2017. PMID: 27862576
 401. Visconti K, Sentharamaikannan P, Kemp MW, Saito M, Kramer BW, Newnham JP, Jobe AH, Kallapur SG. Extremely preterm fetal sheep lung responses to antenatal steroids and inflammation. *Am J Obstet Gynecol.*, 218:349.e1-10, 2018. PMID: 29274832.
 402. Goldenberg RL, Griffin JB, Kamath-Rayne BD, Harrison M, Rouse DJ, Moran K, Hepler B, Jobe AH, and McClure EM. Clinical interventions to reduce stillbirths in sub-Saharan Africa: a mathematical model to estimate the potential reduction of stillbirths associated with specific obstetric conditions. *BJOG.*, 125:119-129, 2018. PMID: 27704677
 403. Presicce P, Park C-W, Sentharamaikannan P, Bhattacharyya, S, Jackson C, Kong F, Rueda CM, DeFranco E, Miller LA, Hildeman DA, Salomonis N, Chougnnet CA, Jobe AH, Kallapur SG. IL-1 signaling mediates intrauterine inflammation and chorio-decidua neutrophil recruitment and activation. *JCI Insight*, 3:e98306, 2018. PMID: 29563340
 404. Blaisdell CJ, Troendle J, Zajicek A; Prematurity and Respiratory Outcomes Program. Acute Responses to Diuretic Therapy in Extremely Low Gestational Age Newborns: Results from the Prematurity and Respiratory Outcomes Program Cohort Study. *J Pediatr.* 197:42-47, 2018. PMID: 29599068.
 405. Schmidt AF, Kemp MW, Rittenschober-Böhm J, Kannan PS, Usuda H, Saito M, Furfaro L, Watanabe S, Stock S, Kramer BW, Newnham JP, Kallapur SG, Jobe AH. Low-dose betamethasone-acetate for fetal lung maturation in preterm sheep. *Am J Obstet Gynecol.* 2018 218:132.e1-132.e9. PMID: 29138038
 406. Kothe TB, Royse E, Kemp MW, Schmidt AF, Salomone F, Saito M, Usuda H, Watanabe S, Musk GC, Jobe A, Hillman NH. Effects of budesonide and surfactant in preterm, fetal sheep. *Am J Physiol Lung Cell Physiol.* 315:L93-L201, 2018. PMID: 29671605.
 407. Gussenhoven R, Westerlaken RJJ, Ophelders DRMG, Jobe AH, Kemp MW, Kallapur SG, Zimmermann LJ, Sangild PT, Pankratova S, Gressens P, Kramer BW, Fleiss B, Wolfs TGAM. Chorioamnionitis, neuroinflammation, and injury: timing is key in the preterm ovine fetus. *J Neuroinflammation.* 15:113, 2018. PMID: 29673373.
 408. Rittenschober-Böhm J, Rodger J, Jobe AH, Kallapur SG, Doherty DA, Kramer BW, Payne MS, Archer M, Rittenschober C, Newnham JP, Miura Y, Berger A, Matthews SG, Kemp MW. Antenatal Corticosteroid Exposure Disrupts Myelination in the Auditory Nerve of Preterm Sheep. *Neonatology.* 114:62-68, 2018. PMID:29669335

409. Kothe TB, Royse E, Kemp MW, Usuda H, Saito M, Musk GC, Jobe AH, Hillman NH. Epidermal growth factor receptor inhibition with Gefitinib does not alter lung responses to mechanical ventilation in fetal, preterm lambs. *PLoS One*. 13:e0200713, eCollection 2018. PMID: 30005089
410. Ren CL, Feng R, Davis SD, Eichenwald E, Jobe A, Moore PE, Panitch HB, Sharp JK, Kisling J, Clem C, Kemp JS. Tidal Breathing Measurements at Discharge and Clinical Outcomes in Extremely Low Gestational Age Neonates. *Ann Am Thorac Soc.*, 2018. 15:1311-1319, 2018. PMID: 30088802.
411. Hamvas A, Feng R, Bi Y, Wang F, Bhattacharya S, Mereness J, Kaushal M, Cotten CM, Ballard PL, Mariani TJ, PROP Investigators. Exome sequencing identifies gene variants and networks associated with extreme respiratory outcomes following preterm birth. *BMC Genet*. 19:94, 2018. PMID: 30342483.
412. Ryan RM, Feng R, Bazacliu C, Ferkol TW, Ren CL, Mariani TJ, et al. Black Race Is Associated with a Lower Risk of Bronchopulmonary Dysplasia. *J Pediatr*. 2019;207:130-5 e2. Epub 2019/01/08. doi: 10.1016/j.jpeds.2018.11.025. PubMed PMID: 30612812; PubMed Central PMCID: PMC6458591.
413. Kothe TB, Kemp MW, Schmidt A, Royse E, Salomone F, Clarke MW, et al. Surfactant plus budesonide decreases lung and systemic inflammation in mechanically ventilated preterm sheep. *Am J Physiol Lung Cell Mol Physiol*. 2019;316(5):L888-L93. Epub 2019/03/07. doi: 10.1152/ajplung.00477.2018. PubMed PMID: 30838863.
414. van Gorp C, de Lange IH, Spiller OB, Dewez F, Cillero Pastor B, Heeren RMA, Kessels L, Kloosterboer N, van Gemert WG, Beeton ML, Stock SJ, Jobe AH, Payne MS, Kemp MW, Zimmermann LJ, Kramer BW, Plat J, Wolfs TGAM. Protection of the Ovine Fetal Gut against Ureaplasma-Induced Chorioamnionitis: A Potential Role for Plant Sterols. *Nutrients*. 2019 Apr 27;11(5). pii: E968. doi: 10.3390/nu11050968. PMID:31035616.
415. Ryan RM, Keller RL, Poindexter BB, D'Angio CT, Shaw PA, Bellamy SL, Moore PE, McPherson C, Greenberg JM; PROP Investigators. Respiratory Medications in Infants <29 Weeks during the First Year Postdischarge: The Prematurity and Respiratory Outcomes Program (PROP) Consortium. *J Pediatr*. 2019 May;208:148-155.e3. doi: 10.1016/j.jpeds.2018.12.009. Epub 2019 Mar 8. PubMed PMID: 30857774
416. Schmidt AF, Kannan P, Bridges J, Filuta A, Lipps D, Kemp M, Miller L, Kallapur S, Xu Y, Whitsett J, Jobe A., Dosing and formulation of antenatal corticosteroids for fetal lung maturation and gene expression in Rhesus macaque, *Sci Rep*. 2019 Jun 21;9(1):9039. doi: 10.1038/s41598-019-45171-6. PubMed PMID: 31227752.
417. Griffin JB, Jobe AH, Rouse D, McClure EM, Goldenberg RL, Kamath-Rayne BD. Evaluating WHO-Recommended Interventions for Preterm Birth: A Mathematical Model of the Potential Reduction of Preterm Mortality in Sub-Saharan Africa. *Glob Health Sci Pract*. 2019 Jun 27;7(2):215-227. doi: 10.9745/GHSP-D-18-00402. Print 2019 Jun. PubMed PMID: 31249020.
418. Schmidt AF, Jobe AH, Kannan PS, Bridges JP, Newnham JP, Saito M, Usuda H, Kumagai Y, Fee EL, Clarke M, Kemp MW. Oral antenatal corticosteroids evaluated in fetal sheep. *Pediatr Res*. 2019 Jul 31. doi: 10.1038/s41390-019-0519-0. [Epub ahead of print]. PubMed PMID:31365919.
419. Zecchi R, Franceschi P, Tigli L, Ricci F, Boscaro F, Pioselli B, Mileo V, Murgia X, Bianco F, Salomone F, Schmidt AF, Hillman NH, Kemp MW, Jobe AH. Mass spectrometry imaging as a tool for evaluating the pulmonary distribution of exogenous surfactant in premature lambs. *Respir Res*. 2019 Aug 5;20(1):175. doi: 10.1186/s12931-019-1144-5. PubMed PMID: 31382955.
420. Kemp MW, Saito M, Schmidt AF, Usuda H, Watanabe S, Sato S, Hanita T, Kumagai Y, Takahashi T, Musk GC, Furfaro L, Stinson L, Fee EL, Eddershaw PJ, Payne MS, Smallwood K, Bridges J, Newnham JP, Jobe AH. The Duration of Fetal Antenatal Steroid Exposure Determines the Durability of Preterm Ovine Lung Maturation. *Am J Obstet Gynecol*. 2019 Aug 31. pii: S0002-9378(19)31061-0. doi: 10.1016/j.ajog.2019.08.046. [Epub ahead of print]. PubMed PMID:31494126.

421. Schmidt AF, Kemp MW, Milad M, Miller LA, Bridges JP, Clarke MW, Kannan PS, Jobe AH. Oral dosing for antenatal corticosteroids in the Rhesus macaque. *PLoS One*. 2019 Sep 19;14(9):e0222817. doi: 10.1371/journal.pone.0222817. eCollection 2019. PubMed PMID:31536601.
422. Jobe AH, Milad MA, Peppard T, Jusko WJ. Pharmacokinetics and Pharmacodynamics of Intramuscular and Oral Betamethasone and Dexamethasone in Reproductive Age Women in India. *Clin Transl Sci*. 2019 Dec 6. doi: 10.1111/cts.12724. [Epub ahead of print] PMID: 31808984.
423. Thébaud B, Goss KN, Laughon M, Whitsett JA, Abman SH, Steinhorn RH, Aschner JL, Davis PG, McGrath-Morrow SA, Soll RF, Jobe AH. Bronchopulmonary dysplasia. *Nat Rev Dis Primers*. 2019 Nov 14;5(1):78. doi: 10.1038/s41572-019-0127-7. Review. PMID:31727986

BOOK CHAPTERS, REVIEW ARTICLES AND EDITORIALS

1. Jobe, A. Fetal lung maturation - Concepts and assessment. Review presentation for Symposium, Oct. 30-31, 1981. Bad Nauheim, West Germany.
2. Jobe, A., Ikegami, M., Jacobs, H. Surfactant metabolism and artificial surfactant treatment of premature labs. Presentation for Symposium, Oct 30-31, 1981, Bad Nauheim, West Germany, pp 153-168.
3. Jobe, A. Fetal lung maturation and the respiratory distress syndrome. In: *Fetal Physiology and Medicine*, Beard and Nathanielsz, eds., Marcell Dekker, New York 1984, pp 317-351.
4. Jobe, A. Respiratory distress syndrome - New therapeutic approaches to a complex pathophysiology. In: *Advances in Pediatrics*, L.A. Barness, ed., Year Book Medical Publishers, Inc., 1984, pp 93-130.
5. Jobe, A., Jacobs, H. Catabolism of pulmonary surfactant. In: *Pulmonary Surfactant*, Robertson, Batenburg, Van Golde, eds, Elsevier Science Publishers, 1984, pp 271-293.
6. Jobe, A. and Ikegami, M. The prematurely delivered lamb as a model for studies of neonatal adaptation. In: *Animal Models in Fetal medicine III*, P.W. Nathanielsz, ed., Perinatology Press, 1984, pp 1-30.
7. Jobe, A., Jacobs, H. and Ikegami, M. Approaches to the understanding and treatment of RDS using prematurely delivered lambs. In: *Respiratory Distress Syndrome*, Raivio, K.O., Hallman, M., Kouvalainen, K., Valimaki, I., eds., Academic Press, 1984, pp 109-122.
8. Jobe, A. Surfactant and the developing lung. In: *Neonatal Pulmonary Care*, Thibeault and Gregory, eds., Addison-Wesley Publishing Co., 1986, pp 75-99.
9. Jobe, A. Surfactant turnover, surfactant therapy, and the developing lung. In: *Critical Care of the Child*, O. Prakash, ed., Martinus Nijhoff, The Netherlands, 1984, pp 51-62.
10. Jobe, A. Respiratory distress syndrome. Pathophysiologic basis for new therapeutic efforts. In: *Neonatal Cardiopulmonary Distress*, G.C. Emmanouilides and B. Baylen eds, Year Book Medical Publishers, Inc, 1987, pp 297-322.
11. Jobe, A. Differences in surfactant between the developing and adult lung. *Journal of Japanese Medical Society for Biological Interface* 16:9-18, 1985.
12. Jobe, A. Surfactant treatment for the respiratory distress syndrome. *Respiratory Care* 31:467-479, 1986.
13. Jobe, A., Ikegami, M. Surfactant for the treatment of respiratory distress syndrome. *Am. Rev. Respir. Dis.* 136:1256-1275, 1987.
14. Jobe, A. Evaluation of fetal lung maturity: Development of the Fetal Lung. In: *Maternal Fetal Medicine - Principles and Practice*, 2nd Ed., R. Creasy and R. Resnik, eds. W.D. Saunders C., 1989; 414-425.

15. Jobe, A. Amniotic fluid tests for fetal lung maturity. In: *Maternal Fetal Medicine - Principals and Practice*, 2nd Ed., R. Creasy and R. Resnik, ed. W.B. Saunders Co., 1989, 426-433.
16. Jobe, A. Protein leaks and surfactant dysfunction in the pathogenesis of RDS. *Eur. Respir. J.* 2, Suppl 3. 275-325, 1989.
17. Ikegami, M., Jobe, A., Pettenazzo, A., Seidner, S. Effect of maternal hormone treatments on lung protein leakage and lung function of preterm rabbits. *Eur.Respir. J.* 2, Suppl 3. 165-205, 1989.
18. Pettenazzo, A., Jobe, A., Ikegami, M., Rubaltelli, F. *In vivo* clearance of natural and modified surfactant. Prevention and Treatment of Neonatal RDS Symposium. *Eur. Respir. J.* 2, Suppl. 3. 135-155, 1989.
19. Jobe, A. Questions about surfactant for RDS. *Fetal Lung Development: Mean Johnson Symposium on Perinatal and Developmental Medicine* 30:43-51, 1988.
20. Jobe, A. Lung injury and pulmonary edema in RDS. *Fetal Lung Development:* 30:43-51, 1988. Mead Johnson Symposium on Perinatal Medicine 30:52-58, 1988.
21. Jobe, A., Ikegami, M. Metabolism of exogenous surfactant. *Surfactant Treatment of Lung Diseases, Report of the 96th Ross conference on Pediatric Research, Ross Laboratories, Columbus, Ohio* 46-52, 1988.
22. Jobe, A., Pettenazzo, A., Seidner, S., Ikegami, M. What happens to treatment doses of surfactant?
23. Jobe, A. Metabolism of endogenous surfactant and exogenous surfactants for replacement therapy. *Seminars in Perinatology*, 7:231-244, 1988.
24. Mellins, R.B., Jobe, A. Acute respiratory distress in the newborn infant. In: *Pulmonary Diseases and Disorders*, 2nd Ed. A.P. Fishman, ed, McGraw-Hill Book Co, 1988 p 2251-2266.
25. Jobe, A. Metabolism of endogenous surfactant and exogenous surfactants used for replacement therapy. In: *Surfactant therapy*, D.L. Shapiro and R.H. Notter, eds, Alan R. Liss Inc. New York. pp 99-126, 1989.
26. Jobe, A. Are lung maturational agents and surfactant treatments complimentary in preterm infants? *Journal of Perinatology* 9:14-18, 1989.
27. Jobe, A. Metabolism of exogenous surfactant, In: *Surfactant and the Respiratory Tract*. L. Ekelund, B. Jonson, L. Malm, eds. Elsevier, Amsterdam; p 137-146, 1989.
28. Jobe, A., Ikegami, M., Seidner, S. and Yamada, T. Gestational effects of corticosteroids and surfactant in preterm ventilated rabbits. In: *Surfactant and the Respiratory Tract*. L. Ekelund, B. Jonson, L. Malm, eds.; Elsevier, Amsterdam, p 221-226, 1989.
29. Jobe A. Lung development, surfactant and respiratory distress syndrome. In: *Pediatrics toward the New Century: Proceedings of the Centennial Symposium of Pediatrics*. Univ. of Tokyo, p 9-15, 1989.
30. Jobe, A. What happens to endogenous surfactant in the immature lung? V International Berlin Symposium on Infant Mortality, Berlin DDR, September 1988. *Research in Perinatal Medicine II*. E. Gravel, L. Stern, I. Syllm-Rappaport, R. Wauer, VEG George Thieme. eds., Leipzig, p 76-88, 1990.
31. Jobe, A. Surfactant catabolism and recycling in the perinatal period. In: *Basic Research on Lung Surfactant*. Von Wichert, B. Muller, B. Karger. p 144-148, 1990.
32. Ikegami, M., Jobe, A.H. Effect of maternal corticosteroid treatments on the relationship between surfactant pool size and compliance in preterm ventilated rabbits. In: *Basic Research on Lung Surfactant*. P von Wichert, B. Muller, B. Karger. p 298-301, 1990.

33. Jobe, A. Pathophysiology of abnormal surfactant metabolism. In: *Respiratory Distress Syndrome - Molecules to Man*. K. Brigham and M. Stahlman, eds. Vanderbilt University Press, p 217-229, 1990.
34. Jobe, A. Respiratory Distress Syndrome - Is it all Surfactant Deficiency. In: *The Micropremie: The Next Frontier. Report of the 99th Ross Conference on Pediatric Research*. Ross Laboratory, Columbus, Ohio, p 21-28, 1990.
35. Jobe, A: What happens to exogenous surfactant in the immature lung. In: *Research in Perinatal Medicine 2*, E.L. Grauel, L. Stern, I Syllm-Rapoport, R.R. Wauer, eds., Verlag Gesundheit GmbH, Berlin, pp 76-88, 1990.
36. Jobe, A.H. Society for Pediatric Research Presidential Address 1990: Pediatric Research - Integrated Evaluations to Achieve Insights into Organ Function. *Pediatr. Res.* 28:313-315, 1990.
37. Jobe, A. Preterm factors influencing surfactant deficiency. State of the Art conference on Neonatal Intensive Care. G. Sedin, ed. *International Journal of Technology Assessment in Health Care* 7:16-20, 1991.
38. Jobe, A. Pathogenesis of respiratory failure in the preterm infant. In: *Annals of Medicine*, Helsinki, 23:687-691, 1991.
39. Jobe, A. The role of surfactant therapy in neonatal respiratory distress. *Respiratory Care* 36, 659-706, 1991.
40. Jobe, A. Phospholipid metabolism and turnover. In: *Fetal and Neonatal Medicine: Physiology*. R.A. Polin and W.W. Fox, eds. W.B. Saunders Co, Philadelphia, pp 986-995, 1992.
41. Jobe, A. Pathophysiology of Respiratory Distress Syndrome. In: *Fetal and Neonatal Physiology*. R.A. Polin and W.W. Fox, eds. W.B. Saunders Co, Philadelphia, pp 995-1001, 1992.
42. Jobe, A. The Respiratory System: Developmental Biology of the Lung. In: *Neonatal Perinatal Medicine, 5th Edition*, A. Fanaroff and R.J. Martin, eds., Mosby Year Book, St. Louis, pp 783-801, 1992.
43. Jobe, A.H. Surfactant therapy for respiratory distress syndrome. In: *Neonatology for the Clinician*, Pomerance J.J. and Richardson C.J., eds. Appleton and Lang, Norwalk, CT. pp. 257-266, 1993.
44. Jobe, A.H., M. Ikegami: Protein permeability abnormalities in the preterm. In: *Lung Biology in Health and Disease: Fluid and solute transport in the airspaces of the lungs*. Effros, R.M. and Chang, H.K., eds. Marcel Dekker, Inc., New York, pp. 335-355, 1994.
45. Jobe, A.H., Rider, E.D. Catabolism and recycling of surfactant. In *pulmonary surfactant: from molecular biology to clinical practice*. Robertson, van Golde & Batenburg, eds, Elsevier Publishing. Amsterdam, pp. 313-337, 1992
46. Jobe, A.H. Suspended Judgment. Simple explanations for complex systems: surprising and unexpected outcomes. *Controlled Clinical Trials* 14:75-78, 1993.
47. Lewis, J.F., Jobe, A.H. State of Art: Surfactant and the adult respiratory distress syndrome. *Am. Rev. Respir. Dis.* 147:218-233, 1993
48. Jobe, A.H. Drug Therapy: Pulmonary Surfactant Therapy. *New Engl. J. Med.* 328:861-868, 1993.
49. Jobe, A.H. Commentary on surface tension of therapeutic surfactants as evaluated by standard methods and criteria-Scarpelli, David, Cordova, and Mautone. *American Journal of Perinatology* 10:92-93, 1993.
50. Ikegami, M., Jobe, A.H. Surfactant Metabolism. *Seminars in Perinatology* 17:233-240, 1993.
51. Jobe, A.H. Evaluation of Fetal Lung Maturation in *Maternal Fetal Medicine; Principles and Practice*. R.K. Cresy and R. Resnik eds. W.B. Saunders Co. Philadelphia 1994, p 423-441.
52. Jobe, A.H., Ikegami, M. Surfactant Metabolism. *Clinics in Perinatology* 20:683-696, 1993.

53. Jobe, A.H. Editorial: Optimizing outcome for the preterm: prenatal hormonal maturation and postnatal surfactant for RDS. *Periscope: California Perinatal Association* 4:2-3, Winter 1993/1994.
54. Jobe, A.H. Surfactant Function and Metabolism. *New Therapies for Neonatal Respiratory Failure: A Physiologic Approach*. B. Boynton, W. Carlo, A. Jobe, eds., Cambridge University Press, New York, p 16-35, 1994.
55. Boynton, B., Carlo, W., Jobe, A.H. eds. *New Therapies for Neonatal Respiratory Failure: A physiological approach*. Cambridge University Press, New York, 1994.
56. Jobe, A.H. Techniques for Administering Surfactant. *Surfactant Therapy for Lung Disease*. Robertson and Taeusch, eds., Marcel Dekker, Inc., New York p. 309-324, 1995.
57. Jobe, A.H. Techniques of Surfactant Applications. *Applied Cardiopulmonary Pathophysiology* 5(53): 64-65, 1995.
58. Jobe, A.H., Ueda, T., and Ikegami, M. Clearance of the Major Surfactant Components from Rabbit Lungs. *Applied Cardiopulmonary Pathophysiology* 5 (53): 65-66, 1995.
59. Jobe, A.H. Hypocarbica and Bronchopulmonary Dysplasia. Invited Editorial for *Arch. Pediatr. Adolescent Med.* 149:615-616, 1995.
60. Jobe, A.H. The Respiratory System: Lung Development. In: *Neonatal Perinatal Medicine*, 6th Edition, A. Fanaroff and R.J. Martin, eds., Mosby, St. Louis, pp. 991-1009, 1997.
61. Jobe, A.H. Pathophysiology of Respiratory Distress Syndrome. In: *Fetal and Neonatal Physiology*, 2nd Edition, Polin and Fox, eds., W.B. Saunders Co., Philadelphia, 1299-1314. 1998.
62. Jobe, A.H. Surfactant Treatment. In: *Fetal and Neonatal Physiology*, 2nd Edition, Polin and Fox, eds., W.B. Saunders Co., Philadelphia, 1321-1335, 1998.
63. Jobe, A.H., Ikegami, M. Surfactant and Mechanical Ventilation. In: *Physiological Basis of Ventilatory Support*, J.J. Marini and A.S. Slutsky, eds., Marcel Dekker, New York, 209-230, 1998.
64. Jobe, A.H., Ikegami, M. Surfactant for Acute Respiratory Distress Syndrome. *Advances in Internal Medicine*, Vol. 42. Mosby, St. Louis, pp. 203-230, 1997.
65. Jobe, A.H., Ikegami, M. The Future of Surfactant Replacement Therapy. *Tufts Univ. and Floating Hospital reports on Neonatal Respir. Dis.* 7:1-10, 1997
66. Jobe, A.H. Too Many Unvalidated New Therapies to Prevent Chronic Lung Disease in Preterm Infants. (Editorial) *J. Pediatrics*, 132:200-202, 1998.
67. Jobe, A.H. Commentary for 50th Anniversary of Pediatrics, The alveolar Lining Layer: ME Avery, 1962. *Pediatr.* 102:2345-2365, 1998.
68. Jobe, A.H. Nils W. Svenningsen Memorial Lecture: Hot Topics in and New Strategies for Surfactant Research. *Biol. of the Neonate*, 74 (Suppl. 1): 3-8, 1998.
69. Jobe, A.H. Surfactant - Edema Interactions. In: *Pulmonary Edema*, (ed) Wiel, E.K., Reeves, J.T. Armonk, NY, Futura; 113-131, 1998.
70. Jobe, A.H., Ikegami, M. Surfactant and Acute Lung Injury. *Proceedings of the Assn. of Amer. Phys.* 110:489-495, 1998.
71. Jobe, A.H., Ikegami, M. Reply to letter to editor: Fetal growth restriction induced by cortisone 40 years ago. *Am. J. Obstet. Gynecol.*, 179:835, 1998.
72. Ikegami, M. and Jobe, A.H. Mechanisms initiating lung injury in the preterm. *Early Human Development*, 53:81-94, 1998.

73. Ikegami, M. and Jobe, A.H. Surfactant protein metabolism in vivo. *Biochim. et Biophys. Acta*, 1408:218-225, 1998.
74. Jobe, A.H. Fetal Lung Development and Surfactant. in *Maternal Fetal Medicine: Principles and Practice*, 4th Edition. eds. Creasy, R. and Resnik, R., Philadelphia, W.B. Saunders Co. 404-422, 1999.
75. Jobe, A.H. Surfactant Metabolism in the Premature with RDS or BPD. In: 4th World Congress of Perinatal Medicine, Buenos Aires, Argentina, and April 18-22, 1999. L.S. Voto, M. Margulies, And E.V. Cosmi, Eds., Monduzzi Editore, Pp 299-303
76. Jobe, A.H. Commentary on "Choriamniotic Increased Neonatal Morbidity in Very Low Birthweight Infants by Alexander Et Al. For: *Evidence Based Medicine*, 4:29, 1999.
77. Jobe, A.H., Foreword to *Imaging of the Newborn Baby*. Kirplani, H., Mernagh, J., Gill, G., eds. Churchill Livingstone Edinburgh, 1999.
78. Jobe, A.H. Influence of Surfactant Replacement on Development of BPD. In: *Chronic Lung Disease in Early Infancy*, R.D. Bland and J. Coalson, eds., Marcel Dekker, New York. 2000, pp.237-256.
79. Jobe, A.H. Commentary for *Yearbook of Neonatal and Perinatal Medicine*. 1998. 1999, Mosby, St. Louis, MO. Pp.224-225.
80. Jobe, A.H. The New BPD – An Arrest of Lung Development. *Pediatr. Res.* 46:641-643, 1999.
81. Jobe, A.H., Ikegami, M. Lung development and function in preterm infants in the surfactant treatment era. *Ann. Rev. Physiol.* 2000, 62:825-846.
82. Jobe, A.H. Commentary - Which surfactant for treatment of respiratory-distress syndrome. *The Lancet*, 335:1380-1381, 2000.
83. Jobe, A.H., Ikegami, M. Fetal Responses to Glucocorticoids, in Mendelson CR, (ed) *Endocrinology of the Lung*, Humana Press. Pp.45-57, 2000.
84. Jobe, A.H., Commentary - Glucocorticoids in Perinatal Medicine: Misguided Rockets? *J. Pediatrics*, 137:1-3, 2000.
85. Jobe, A.H., Contribution of Surfactant to Lung Maturation. *Applied Cardiopulmonary Pathophysiology*, 9:258-260, 2000.
86. Jobe, A.H. Commentary – Antenatal glucocorticoid treatment and cystic PVL. A. Fanaroff, M.J. Maisels, and D.K. Stevenson, eds., Mosby, St. Louis, MO. 2000, pp.236-328.
87. Jobe, A.H. Commentary – Multiple courses of antenatal corticosteroids *Yearbook of Neonatal and Perinatal Medicine*, A. Fanaroff, M.J. Maisels, and D.K. Stevenson, eds., Mosby, St. Louis, MO. 2000, pp. 1-3.
88. Jobe, A.H. Intrauterine cytokine activation and the role of infection. *Biol. Neonate*, 78:244-246, 2000.
89. Jobe, A.H. Commentary for: Surfactant Treatment in Neonates with Group B Sepsis. *Pediatrics*, 106:1135, 2000.
90. Jobe, A.H., Ikegami, M. Beyond the consensus conference for antenatal glucocorticoids, in *Neonatal Respiratory Diseases*, Ivan Frantz, ed., Associates in Medical Marketing, Ross Products Division, 10:1-8, 2000.
91. Jobe, A.H., Ikegami, M. Antenatal infection/inflammation and postnatal lung maturation and injury. *Respiratory Research*, Current Science, Ltd. 2:27-32, 2001.
92. Jobe, A.H., Bancalari, E. Summary of NICHD/NHLBI/ORD Workshop on Bronchopulmonary Dysplasia. *Am. J. Respir. Crit. Care Med.*, 163:1723-1729. 2001.

93. Jobe, A.H., Ikegami, M. Prevention of bronchopulmonary dysplasia. *Current Opinion in Pediatrics*. 13:124-129, 2001.
94. Jobe, A.H. What do home monitors contribute to the SIDS problem? *JAMA*, 285:2199-2207, 2001.
95. Jobe, A.H. Reply, letter to Editor. *J. Pediatr.* 138:786, 2001.
96. Jobe, A.H. Surfactant and Steroids: what have they changed in neonatology? *Riv. Ital. Pediatr.*, 27:440-441, 2001.
97. Jobe, A.H. Pathologic mechanisms and the development of BPD. *Riv. Ital. Pediatr.*, 27:512-513, 2001.
98. Jobe, A.H. Lung development and lung injury – the new BPD. *Chinese Journal of Contemporary Pediatrics*, 3:433-437, 2001.
99. Jobe, A.H. Surfactant Replacement Therapy. *Chinese Journal of Contemporary Pediatrics*, 3:438-441, 2001.
100. Goldenberg, R.L., Jobe, A.H. Prospects for Research in Reproductive Health and Birth Outcomes. *JAMA*, 285:633-639, 2001.
101. Jobe, A.H. Predictors of Outcomes in Preterm Infants: Which ones and when? *J. Pediatr.*, 138:153-156, 2001.
102. Clark, R.H., Gerstmann, D.R., Jobe, A.H., Moffitt, S.T., Slutsky, A.S., and Yoder, B.A. Lung Injury in Neonates: Causes, Strategies for Prevention, and Long-Term Consequences. *J. Pediatrics*, 139:478-486, 2001.
103. Jobe, A.H. Glucocorticoids, inflammation and the perinatal lung. *Semin Neonatol*, 6:331-342, 2001.
104. Jobe, A.H. and Ikegami, M. Biology of Surfactant. *Clinics in Perinatology*, 28:655-669, 2001.
105. Jobe, A.H., Weaver, T.E. Chapter for: *Basic Mechanisms of Pediatric Respiratory Disease: Developmental Biology of Surfactant*. Eds. Haddad, Abman and Chernik. Hamilton, Ontario, Canada, B.C. Decker Inc., 37-48, 2002.
106. Jobe, A.H., Abramson, J.S., Batshaw, M., Boxer, L.A., Lister, G., McCabe, E., and Johnston, R. Recruitment and Development of Academic Pediatricians: Departmental Commitments to Promote Success. *Pediatric Research*, 51:662-664, 2002.
107. Jobe, A.H. Book Review: *Lung Surfactant: Basic Science and Clinical Applications*. *Respiratory Care*, 47:190-191, 2002
108. Jobe, A.H. Indications for and questions about antenatal steroids. *Advances in Pediatrics*, 49:227-243, 2002.
109. Jobe, A.H. Perspective: Fetal surgery for myelomeningocele. *N. Eng. J. Med.* 347:230-231, 2002.
110. Jobe, A.H. An Unknown - Lung Growth and Development after Very Preterm Birth. (Editorial) *Am. J. Respir. Crit. Care Med.* 166:1529-1536, 2002.
111. Jobe, A.H. Commentary for *Yearbook of Neonatal and Perinatal Medicine*, 2002. Fanaroff, A.A., M.J. Maisels, D.K. Stevenson, eds. Mosby, St. Louis, MO. 2002, P180-181.
112. Rebello, C.M., Proenca, R.S., Troster, E.J. and Jobe, A.H. Exogenous surfactant therapy - what is established and what still needs to be determined. *J. Pediatr. (Rio J - article in Portuguese)* 78:S215-226, 2002.
113. Jobe, A.H. Surfactant metabolism in newborns – insights from imprecise measurements. (Commentary) *J. Pediatr.* 142:223-224, 2003
114. Jobe, A.H. An unanticipated benefit of the treatment of preterm infants with CuZn superoxide dismutase. (Commentary) *Pediatr.* 111:680, 2003.
115. Jobe, A.H. Letter to Editor. Antenatal steroids in twins. *Am. J. Ob/Gyn.* 188:856, 2003.

116. Jobe, A.H. Animal models of antenatal corticosteroids: clinical implications. *Clinical Obstetrics and Gynecology*. 46:174-189, 2003.
117. Jobe, A.H. Antenatal factors and the development of BPD. in: Bancalari, E., ed. *Bronchopulmonary Dysplasia Seminars in Neonatology*. 8:9-17, 2003
118. Jobe, A.H. Response to Letter to Editor. Lung growth and development after preterm birth: further evidence. *Am. J. Resp. Crit. Care Med.*, 168:399-400, 2003
119. Jobe, A.H. Newnham, J.P. and Moss, T.J.M. Response to letter - Fetal betamethasone treatment and neonatal outcomes in pre-eclampsia and intrauterine growth restriction. *Am. J. Ob. Gyn.*, 189:1813, 2003.
120. Strand, M. and Jobe, A.H. The multiple negative randomized controlled trials in Perinatology – why? *Semin. Perinatol.*, 27:343-350, 2003
121. Jobe, A.H., Ikegami, M. Pathophysiology of RDS and surfactant metabolism. in: Polin, Fox and Abman, eds. *Fetal and Neonatal Physiology*, 3rd Edition. Pp.1055-1068, 2004.
122. Jobe, A.H., Kallapur, S.G. Antenatal factors that influence postnatal lung development and injury. in: Polin, Fox and Abman, eds. *Fetal and Neonatal Physiology*, 3rd Edition. Saunders, Philadelphia; pp.949-954, 2004
123. Jobe, A.H. Surfactant Treatment. In: Polin, Fox and Abman, eds. 3rd Edition, Saunders, Philadelphia; pp1074-1085, 2004.
124. Jobe, A.H. Fetal lung development and surfactant. *Maternal-Fetal Medicine*, 5th Edition. Creasy, Resnik and Iams, eds. Saunders, Philadelphia; pp.209-222, 2004
125. Jobe, A.H. Postnatal Corticosteroids for preterm infants - do what we say, not what we do. *N Engl J Med*, 350:1349-1351, 2004.
126. Jobe, A.H. Response to Letter to the Editor. *NEJM*, 350:27127-2718, 2004.
127. Jobe, A.H. Drugs and lung development. in: Yaffe and Aranda, eds. *Neonatal and Pediatric Pharmacology*. 3rd Edition. -216, 2004.
128. Jobe, A.H., Kramer, B.W. The clever fetus: responding to inflammation to minimize lung injury. *Biology Neonate*, 88:202-207, 2005.
129. Jobe, A.H. Transition/Adaptation in the delivery room and less RDS: Don't just do something, stand there! *J Pediatr*. 147:284-286, 2005.
130. Balistreri, W.F., Jobe, A., and Boat, T.F. Pediatric Subspecialty training fellowships at Cincinnati Children's Hospital Medical Center (CCHMC). *J. Pediatr*. 147:277-278, 2005.
131. Jobe, A.H. Lung Development and Maturation. Review for Yearbook of Neonatal and Perinatal Medicine, Volume 2, 8th Edition, Mosby, St. Louis, MO. Pp.1069-1086.
132. Jobe, A.H. Antenatal associations with lung maturation and infection. *Journal of Perinatology*, 25:S31-S35, 2005.
133. Jobe, A.H. Why surfactant works for respiratory distress syndrome. *NeoReviews*, 7, e95-e106, 2006.
134. Walsh, M.C., Szeffler, S., Davis, J., Allen, M., Van Marter, L., Abman, S., Blackmon, L., and Jobe, A.H. NIH-FDA Workshop: Summary proceedings from the bronchopulmonary dysplasia group. *Pediatr*. 117:S52-S56, 2006.
135. Higgins, R.D., Raju, T.N., Perlman, J., et al. Hypothermia and perinatal asphyxia: Executive summary of the National Institute of Child Health and Human Development Workshop. *J. Pediatr*. 148:170-175, 2006.

136. Kallapur, S.G. and Jobe, A.H. The Contribution of Inflammation to Lung Injury and development. *Archives of Disease in Child Fetal Neonatal Ed.*, 91, F132-F135, 2006.
137. LeVine, A.M. and Jobe, A.H. The Surfactant System. Chernick, Boat, Wilmott and Bush, eds. *Kendig's Disorders of the Respiratory tract in Children*, 7th Edition. 17-22, 2006.
138. Jobe, A.H. The Cardiopulmonary System: Research and Training Opportunities. NICHD-AAP Workshop on Research in Neonatology. *J. Perinatol.*, 26: S5-S7, 2006.
139. Moss, T.J.M. and Jobe, A.H. Amniotic Fluid Inflammation and Fetal Lung Development. Myatt and Peebles, eds. *Inflammation and Pregnancy*, Taylor and Francis Books, Inc. In press, 2006.
140. Jobe, A.H. Prenatal Corticosteroids: A Neonatologist's perspective. *NeoReviews*. 7:e259-e267, 2006.
141. National Institutes of Health State-of-the-Science Conference Statement: Cesarean Delivery on Maternal Request. *Obstet & Gynecol.*, 107:1386-1397 (I was a participant/writer for this statement).
142. Jobe, A.H. Mechanisms to explain surfactant responses. *Biol. Neonate* 89:298-302, 2006.
143. Jobe, A.H. The New BPD. *Neo Reviews*. 7:e531-e545, 2006.
144. Jobe, A. Ventilation Strategies-Boston versus elsewhere-revisited. *Acta. Paediatr.* 96:8-9, 2007.
145. Jobe, A.H. Drug Pricing in Pediatrics: The Egregious Example of Indomethacin. *Pediatrics*, 119:6, 1197-1198, 2007.
146. Jobe, A.H. Medical Progress: Hyaline Membrane Disease. *J. Peds.*, 151:512, 2007.
147. Pillow, J., and Jobe, A.H. Respiratory Disorders of neonates and infants: Respiratory Disorders of the Newborn. *Pediatric Respiratory Medicine*, 2nd Edition, 28:365-386, 2008.
148. Jobe, A.H., Kallapur, S., Moss, T.J.M. Inflammation/Infection: Effects on the fetal/newborn lung. Bancalari, ed. *The Newborn Lung: Questions and Controversies*. 119-140, 2008.
149. Jobe, A.H. Surfactant: The basis for clinical treatment strategies. Bancalari, ed., *The Newborn Lung: Questions and Controversies*. 73-98, 2008.
150. Jobe, A.H., Hillman, N., Polglase, G., Kramer, B.W., Kallapur, S., and Pillow, J. Injury and inflammation from resuscitation of the preterm infant. *Neonatology*, 94:190-196, 2008. PMID: 18832854
151. Jobe, A.H., Fetal lung development and surfactant. In: *Maternal Fetal Medicine - Principals and Practice*, 6th Ed., R. Creasy and R. Resnik, ed. W.B. Saunders Co., 2008, 193-205
152. Jobe, A.H. Commentary on - Respiratory Disorders: Randomized trial of a single repeat dose of prenatal betamethasone treatment in imminent preterm birth. In: *Yearbook of Neonatal and Perinatal Medicine*, eds. A. Fanaroff, R. Ehrenkranz, D. Stevenson, 135-137, 2008
153. NHLBI Working Group Report - Strategic Plan for Pediatric Respiratory Diseases Research. Abman, S., Jobe, A.H., Chernick, V., and Blaisdell (editors), *Proc. Am. Thorac. Soc.*, 6:1-10, 2009.
154. Kramer, B.W., Kallapur, S., Newnham, J., and Jobe, A.H. Prenatal inflammation and lung development. *Seminars in Fetal and Neonatal Medecome*, 14:2-7, 2009. Epub 10/08/08 PMID: 18845493
155. Abman, S., Jobe, A.H., Chernick, V., Blaisdell, C., et al. Strategic plan for pediatric respiratory diseases research: an HNLBI working group report. *Pediatr. Pulmonol.* 44:2-13, 2009
156. Jobe, A.H. Postnatal Corticosteroids for Bronchopulmonary Dysplasia. *Clin. Perinatal.* 36:177-178, 2009. PMID: 19160669

157. Newnham, J.P., Jobe, A.H. Should we be prescribing repeated courses of antenatal corticosteroids? *Seminars in Fetal & Neonatal Medicine*, 14:157-163, 2009. PMID: 19103515
158. Jobe, A.H. Lung recruitment for ventilation: does it work, and is it safe? *J. Pediatr.*, 154:635-636, 2009. PMID: 19364555
159. Kallapur, S.G., Jobe, A.H., and Kramer, B. W. Prenatal inflammation and immune responses of the preterm lung. *Bronchopulmonary Dysplasia*. Abman, S. ed., In: *Lung Biology and Health Diseases*, Lenfant, C. executive ed., 240:118-132, 2009.
160. Jobe, A.H. Lung Maturation: The survival miracle of very low birth weight infants. *Pediatr Neonatol.*, 2010; 51 7-13.
161. Jobe, A.H. and Kallapur, S. G. Chorioamnionitis, Surfactant, and Lung Disease in Very Low Birth Weight Infants. *J. Peds.* 156:3-4, 2010. PMID: 20006756
162. Tibboel, D. and Jobe, A.H. Update in pediatric lung disease 2009. *Am. J. Respir. Crit. Care Med.*, 181:661-665, 2010. PMID: 20335348.
163. Jobe, A.H. and Kallapur, S.G. Long-term consequences of oxygen therapy in the neonatal period. Elsevier Publishing, *Seminars in Fetal and Neonatal Medicine* 15, 230-235:2010. PMID: 2910185
164. Jobe, A.H. and Newnham, J. Drugs and lung development. in: Yaffe and Aranda, eds. *Neonatal and Pediatric Pharmacology*. 4th Edition. Pp233-240, 2010.
165. Jobe, A.H. and Martin, R.J. 24/7 neonatal intensive care unit attending physician coverage: a clash of missions. *Am. J. Respir. Crit. Care Med.*, 182: 729-731, 2010.
166. Fanaroff, A.R. J. Martin, R.J., and Walsh, M.C., *The Respiratory System: Part 1: Lung Development and Maturation*. In: *Neonatal Perinatal Medicine*, 9th Edition, and Volume 2. eds., Mosby Year Book, St. Louis, pp 1075-1092, 2010.
167. Jobe, A.H. "Miracle" ELBW babies – examples of development plasticity. *Obstetrics and Gynecology*, 116:1184-1190, 2010.
168. Kemp, M.W., Kallapur, S.G., Jobe, A.H., and Newnham, J.P. Obesity and the developmental origins of health and disease. *Journal of Pediatrics and Child Health*, 1-5, 2011.
169. Jobe, A.H. and Greenberg, J.M. Section 5: Newborn. In: *Rudolph's Pediatrics 22nd Edition*. C. Rudolph, A. Fried and L. Bierig, eds., McGraw-Hill, 155-261, 2011.
170. Jobe, A.H. Chapter 39: Care of the Fetus, In: *Rudolph's Pediatrics 22nd Edition*. C. Rudolph, A. Fried and L. Bierig, eds., McGraw-Hill, 155-157, 2011.
171. Jobe, A.H. Chapter 59: Bronchopulmonary Dysplasia, In: *Rudolph's Pediatrics 22nd Edition*. C. Rudolph, A. Fried and L. Bierig, eds., McGraw-Hill, 253-256, 2011.
172. Kingma, P., Jobe, A.H. The Surfactant System. In Eds. Chernic, V., Wilmott, R.N., Rtjen, F., Bash, A., Boat, T., and Deterdig, R. *Disorders of the Respiratory Tract in Children*, 8th Edition, Elsevier, New York, 29-34, 2012.
173. Jobe, A.H. Lung development and maturation. In: *Development and Disorders of Organ Systems*. Submitted 2010.
174. Jobe, A.H. The New Bronchopulmonary Dysplasia. *Curr. Opin. Pediatr.* 23:167-172, 2011. PMID: 21169836.
175. Wapner, R., Jobe, A.H. Controversy: Antenatal Steroids. In: *Clinics in Perinatology - Prematurity: Art and Science*, Fleischman, A.R., Iams, J.D., eds., Saunders, Philadelphia, 529-545, 2011 PMID: 21890023

176. Kallapur, S.G. and Jobe, A.H. Antenatal Factors that Influence Postnatal Lung Development and Injury. In: *Fetal and Neonatal Physiology 4th Edition*. Polin, R., Fox, W., Abman, S. Eds. Elsevier Saunders, Philadelphia, 96:1047-1054, 2011.
177. Jobe, A.H. and Ikegami, M. Pathophysiology of Respiratory Distress Syndrome and Surfactant Metabolism. In: *Fetal and Neonatal Physiology 4th Edition*. Polin, R., Fox, W., Abman, S. Eds. Elsevier Saunders, Philadelphia, 104:1137-1150, 2011.
178. Jobe, A.H. Surfactant Treatment. In: *Fetal and Neonatal Physiology 4th Edition*. Polin, R., Fox, W., Abman, S. Eds. Elsevier Saunders, Philadelphia, 106:1156-1167, 2011.
179. Albert, R.K. and Jobe, A.H. Gas Exchange in the respiratory distress syndromes. In: *Comprehensive Physiology*, P. Wagner, and ed., 2:1585-1617, 2012.
180. Jobe, A.H., Kallapur, S.G. and Kramer, B.W. In: *The Newborn Lung 2nd Edition: Normal and Abnormal Lung Development. Perinatal Events and their Influence on Lung Development and Function*. Bancalari, E., Polin, R.A. Ed. Elsevier Saunders, Philadelphia, 3:57-90, 2012.
181. Jobe, A.H. In: *Early Human Development: What is BPD in 2012 and what will BPD become? Proceedings and Selected Abstracts of the 3rd International Conference on Clinical Neonatology*. Torino, Italy, 2012. Manzoni, P. ed. Elsevier Ireland Ltd., S27-S28, 2012
182. Jobe, A.H. In: *Early Human Development: What is RDS in 2012? Proceedings and Selected Abstracts of the 3rd International Conference on Clinical Neonatology*. Torino, Italy, 2012. Manzoni, P. ed. Elsevier Ireland Ltd. S42-S44, 2012
183. Lawn, J., Segre, J., Buekens, P., Althabe, F., Belyin, J., Jobe, A., Hodgins, S., and deGraft Johnson, S. Antenatal Corticosteroids for the reduction of deaths in preterm babies. A case study for the UN Commission on Commodities for Women's and Children's Health. World Health Organization, March 2012.
184. Bancalari, E.H., Jobe, A.H. The respiratory course of extremely preterm infants: A dilemma for diagnosis and terminology. *J. Pediatr.*, 161:585-588, 2012. PMID: 22785261
185. Gravett, M.G., Rubens, C.E., (for the Global Alliance to Prevent Prematurity and Stillbirth Technical Team (A.H. Jobe on Technical Team) A framework for strategic investments in research to reduce the global burden of preterm birth. *Am. J. Ob/Gyn.*, 207:368-373, 2012. PMID: 22999156
186. Jobe, A.H. Effects of chorioamnionitis on the fetal lung. In: *Clinics in Perinatology – Advances in Respiratory Care of the Newborn*, Aschner, J.L., and Polin, R. A., eds., Saunders, Philadelphia, 441-457, 2012
187. Bancalari, E.H., and Jobe, A.H. Reply to letter to editor: On the diagnosis of respiratory disease in very preterm infants. *J. Pediatrics*. 162: 656, 2012. PMID: 22954262
188. Hillman, N.H., Kallapur, S.G., Jobe, A.H. Physiology of transition from intrauterine to extrauterine life. *Clin. Perinatal*. 39:769-783, 2012. PMID: 23164177
189. Bancalari, E.H., and Jobe, A.H. Reply to letter to editor: On the diagnosis of respiratory disease in very preterm infants. *J. Pediatrics*. 162: 656, 2013. PMID: 23324521
190. Bancalari, E.H., and Jobe, A.H. Reply to letter to editor: Classification of respiratory disease after preterm birth. *J. Pediatrics*. 162: 657-658, 2013. PMID: 23324522
191. Jobe, A.H. Editorial: Good News for Lung Repair in Preterm Infants. *Am. J. Respir. Crit. Care Med*. 187:1043-1044, 2013. PMID: 23675712
192. Kallapur, S.G., Kramer, B.W., and Jobe, A.H. Ureaplasma and BPD. *Seminars in Perinatology*, 37:94-101, 2013. PMID: 23582963

193. Hillman, N. and Jobe, A.H. Use of noninvasive strategies for management of respiratory problems in neonates. *NeoReviews*, 140:227-236, 2014.
194. Kallapur, S.G., Presicce, P., Rueda, C.M., Jobe, A.H., and Chougnet, C. Fetal immune response to chorioamnionitis. *Seminars in Reproductive Medicine*, 56-67, 2014. PMID: 24390922
195. Kallapur, S.G., Kramer, B.W., and Jobe, A.H. Ureaplasma and BPD. *Semin Perinatol.*, 37:94-101, 2013. PMID: 23582963
196. Goldenberg, R.L., McClure, E.M., Jobe, A.H., Kamath-Rayne, B.D., Gravette, M.G., and Rubens, C.E. Stillbirths and neonatal mortality as outcomes. *Int J Gynaecol Obstet.* 123:252-253, 2013. PMID: 24050480
197. Jobe, A.H., Kamath-Rayne, B.D. Fetal lung development and surfactant. In: *Maternal Fetal Medicine - Principals and Practice*, 7th Ed., R. Creasy and R. Resnik, ed. W.B. Saunders Co., 2014, 175-186.
198. Jobe, A.H., Tibboel, D. Update in pediatric lung disease 2013. *Am. J. Respir. Crit. Care Med.* 189:1031-1036, 2014. PMID: 24787065
199. Jobe, A.H. A risk of sensory deprivation in the neonatal intensive care unit. *J. Pediatr.* 164:1265-1267, 2014. PMID: 24661341
200. Kallapur, S.G., Jobe, A.H. Lung Development and Maturation. In: *Neonatal Perinatal Medicine, Diseases of the Fetus and Infant*, 10th Edition, A. Fanaroff and R.J. Martin, eds., Elsevier Saunders, pp 1042-1059, 2014
201. Cornfield, D.N., Lane, R., Rosenblum, N.D., Hostetter, M., Jobe, A.H., Albertine, K., Aschner, J., and Abman, S. Patching the pipeline: creation and retention of the next generation of physician-scientists for child health research. *J. Pediatr. AMSPDC*, pp 882-884, 2014
202. Jobe, A.H. 50 years ago, in the *Journal of Pediatrics*: surface properties and lipids from lungs of infants with hyaline membrane disease. *J. Pediatr.*, 166:301, 2015. PMID: 25620514
203. Jobe, A.H. Respiratory Distress Syndrome. In: *Neonatology – Clinical Practice and Procedures*. D.K. Stevenson, R.S. Cohen, and P. Sunshine eds., McGraw-Hill, pp 335-346, 2015
204. Maitre, N.L., Ballard, R.A., Ellenberg, J.H., Davis, S.D., Greenberg, J.M., Hamvas, A., Pryhuber, G.S., Prematurity and Respiratory Outcomes Program. Respiratory consequences of prematurity: evolution of a diagnosis and development of a comprehensive approach. *J. Perinatol.* 35:313-321, 2015. PMID 25811285
205. Poindexter, B.B. and Jobe, A.H. The diagnostic conundrum of bronchopulmonary dysplasia. *J. Pediatr.*, 167:517-518, 2015. PMID: 26138878
206. Jobe, A.H. Animal models, learning lessons to prevent and treat neonatal chronic lung disease. *Front Med (Lausanne)*, 2:49, 2015. PMID: 26301222
207. Bancalari, E., Jain, D., and Jobe, A.H. Prevention of bronchopulmonary dysplasia – is intra-tracheal steroids with surfactant a magic bullet? *Am. J. Respir. Crit. Care Med.*, 193:12-13, 2016. PMID: 26720788
208. Kemp, M.W., Newnham, J.P., Challis, J.G., Jobe, A.H., and Stock, S.J. The clinical use of corticosteroids in pregnancy. *Hum. Reprod. Update*, 22:240-259, 2015. PMID: 26590298
209. Manuck T.A., Levy P.T., Gyamfi-Bannerman C., Jobe A.H., and Blaisdell C.J. Prenatal and Perinatal Determinants of Lung Health and Disease in Early Life: A National Heart, Lung, and Blood Institute Workshop Report., *JAMA Pediatr.* 170:e154577, 2016. PMID: 26953657
210. Jobe A.H. The Search for Treatment of Bronchopulmonary Dysplasia., *JAMA Pediatr.* 170:322-324, 2016. PMID: 26928031

211. Jobe, A.H., Kallapur, S.G., Antenatal Factors That Influence Postnatal Lung Development and Injury. In: *Fetal and Neonatal Physiology 5th Edition*. Polin, R., Abman, S., Rowitch, D., Benitz, W., Fox, W., Eds. Elsevier Saunders, Philadelphia, 77: 778-785, 2016.
212. Jobe, A.H., Kallapur, S.G., Surfactant Treatment. In: *Fetal and Neonatal Physiology 5th Edition*. Polin, R., Abman, S., Rowitch, D., Benitz, W., Fox, W., Eds. Elsevier Saunders, Philadelphia, 84: 831-842, 2016.
213. Jobe, A.H., Pathophysiology of Respiratory Distress Syndrome. In: *Fetal and Neonatal Physiology 5th Edition*. Polin, R., Abman, S., Rowitch, D., Benitz, W., Fox, W., Eds. Elsevier Saunders, Philadelphia, 158: 1604-1618, 2016.
214. Kamath-Rayne BD, Rozance PJ, Goldenberg RL, and Jobe AH. Antenatal corticosteroids beyond 34 weeks gestation: What do we do now? *Am. J. Obstet. Gynecol.* 215:423-430, 2016. PMID: 27342043
215. Kamath-Rayne BD, and Jobe AH. Birth Asphyxia-Providing Care for Mothers, Fetuses, and Newborns Across the Perinatal Continuum. *Clin. Perinatol.* 43:xix-xx, 2016. PMID: 27524457
216. Jobe, A.H. Mechanisms of Lung Injury and Bronchopulmonary Dysplasia. *Am. J. Perinatol.*, 33:1076-1078, 2016. PMID: 27603539
217. Jobe, A.H., Commentary on "Late Surfactant Administration in Very Preterm Neonates with Prolonged Respiratory Distress and Pulmonary Outcome at 1 Year of Age: A Randomized Clinical Trial" In: *The Year Book of Neonatal and Perinatal Medicine, 2016 Edition*. Fanaroff, A.A., Benitz, W.E., Donn, S.M., Neu, J., Papile, L-A., Vam Marter, L.J., Eds. Elsevier, Philadelphia, PA, Chapter 7, pp 121-123, 2016.
218. Jobe, A.H., Commentary on "Randomized Trial of Late Surfactant Treatment in Ventilated Preterm Infants Receiving Inhaled Nitric Oxide" In: *The Year Book of Neonatal and Perinatal Medicine, 2016 Edition*. Fanaroff, A.A., Benitz, W.E., Donn, S.M., Neu, J., Papile, L-A., Vam Marter, L.J., Eds. Elsevier, Philadelphia, PA, Chapter 7, pp 123-126, 2016.
219. Jobe, A.H., Commentary on "Early Inhaled Budesonide for the Prevention of Bronchopulmonary Dysplasia" In: *The Year Book of Pediatrics, 2017 Edition*, Cabana, M.D., Goldstein, A.M., de Gialluly, P.S., Schroeder, A.R., Eds. Elsevier, Philadelphia, PA, Chapter 17, pp 293-296, 2017.
220. Jobe, A.H., The Single-Family Room Neonatal Intensive Care Unit-Critical for Improving Outcomes? *J. Pediatr.*, 185:7-10.e1, 2017. PMID:28284482.
221. Kamatkar, S. and Jobe, A., Antenatal Late Preterm Steroids (ALPS): are we ready to accept it? *J Perinatol.* 37:624-625, 2017. PMID: 28333158
222. Jobe, A.H. and Bancalari, E.H. Controversies about the definition of bronchopulmonary dysplasia at 50 years. *Acta Paediatrica*, 106:692-693, 2017. PMID: 28383198
223. Jobe, A.H., Large Data and the Risks of Misleading Conclusions. *J Pediatr.*,186:7-9, 2017 PMID: 28396021
224. Jobe, A.H. and Steinhorn, R. Can we define bronchopulmonary dysplasia? *J Pediatr.*, 188:19-23, 2017, PMID: 28705654.
225. Jobe, A.H. Caffeine - A Lung Drug for All Very Low Birth Weight Preterm Infants? *Am J Respir Crit Care Med.*, 196:1241-1242, 2017. PMID: 28742379.
226. Jobe, A.H. Caffeine therapy - when and why? *J Pediatr.*, 190:2, 2017, PMID: 29144246
227. Vogel JP, Oladapo OT, Pileggi-Castro C, Adejuyigbe EA, Althabe F, Ariff S, Ayede AI, Baqui AH, Costello A, Chikamata DM, Crowther C, Fawole B, Gibbons L, Jobe AH, Kapasa ML, Kinuthia J, Kriplani A, Kuti O, Neilson J, Patterson J, Piaggio G, Qureshi R, Qureshi Z, Sankar MJ, Stringer JSA, Temmerman M, Yunis K, Bahl R, A Metin Gülmezoglu AM. Antenatal corticosteroids for women at risk of imminent preterm birth in low-resource countries: the case for equipoise and the need for efficacy trials. *BMJ Glob Health*, 2:e000398, 2017. PMID: 29082019

228. Steinhorn R, Davis JM, Göpel W, Jobe A, Abman S, Laughon M, Bancalari E, Aschner J, Ballard R, Greenough A, Storari L, Thomson M, Ariagno RL, Fabbri L, Turner MA. Chronic Pulmonary Insufficiency of Prematurity: Developing Optimal Endpoints for Drug Development. *J Pediatr*. 191:15-21. e1, 2017. PMID: 29173299.
229. Higgins RD, Jobe AH, Koso-Thomas M, Bancalari E, Viscardi RM, Hartert TV, Ryan RM, Kallapur SG, Steinhorn RH, Konduri GG, Davis SD, Thebaud B, Clyman RI, Collaco JM, Martin CR, Woods JC, Finer NN, Raju TNK. Bronchopulmonary Dysplasia: Executive Summary of a Workshop. *J Pediatr*., 197:300-308, 2018. PMID: 29551318
230. Jobe AH, Goldenberg RL. Antenatal Corticosteroids: An assessment of anticipated benefits and potential risks. *Am J Obstet Gynecol*. 2018 ;219:62-74. PMID: 29630886
231. Kingma P and Jobe AH. "The Surfactant System" In: Kendig's Disorders of the Respiratory Tract in Children. 9e. Wilmott RW, Detering, Li A, Ratjen F, Sly P, Zar HJ, Bush A, Eds. Elsevier, Philadelphia, PA, Chapter 5, pp. 57-62, 2019.
232. Jobe AH. Neonatal Stress and Resilience - Lasting Effects of Antenatal Corticosteroids. 2018. *Can J Physiol Pharmacol*. doi: 10.1139/cjpp-2018-0240 [Epub ahead of print] PMID: 30089217
233. Kallapur SG and Jobe AH. "Perinatal Events and Their Influence on Lung Development and Injury" In Neonatology Questions and Controversies. 3e. Bancalari E, Keszler M, Davis PG, Polin RA (eds). Elsevier, Philadelphia, PA, Chapter 2, pp.31-64. 2019.
234. Bancalari E, Claire N, Jobe AH, Laughon MM. "Definitions and Diagnostic Criteria of Bronchopulmonary Dysplasia: Clinical and Research Implications" In Neonatology Questions and Controversies. 3e. Bancalari E, Keszler M, Davis PG, Polin RA (eds). Elsevier, Philadelphia, PA, Chapter 6, pp.115-130, 2019.
235. Jobe AH. "Prenatal and Postnatal Steroids and Pulmonary Outcomes" In Neonatology Questions and Controversies. 3e. Bancalari E, Keszler M, Davis PG, Polin RA (eds). Elsevier, Philadelphia, PA, Chapter 20, pp. 335-346, 2019.
236. Kamath-Rayne BD, Jobe AH. "Fetal Lung Development and Surfactant" In Creasy & Resnik's Maternal-Fetal Medicine. 8e. Resnik R, Lockwood CJ, Moore TR, Greene MF, Copel JA, Silver RM (eds). Philadelphia, PA, Chapter 16, pp. 223-234, 2019.
237. Jobe AH. Commentary on "Limited achievement of NIH research independence by K award recipients". *Pediatr Res*. 2018 84: 481–482. PMID: 29967533
238. Kemp MW, Jobe AH, Usuda H, Nathanielsz PW, Li C, Kuo A, Huber HF, Clarke GD, Saito M, Newnham JP, Stock SJ. Efficacy and Safety of Antenatal Steroids. *Am J Physiol Regul Integr Comp Physiol*. 315:R825-R839, 2018. PMID: 29641233.
239. Kemp MW, Saito M, Usuda H, Watanabe S, Sato S, Hanita T, Kumagai Y, Molloy TJ, Clarke M, Eddershaw PJ, Musk GC, Schmidt A, Ireland D, Furfaro L, Payne MS, Newnham JP, Jobe AH. The efficacy of antenatal steroid therapy is dependent on the duration of low-concentration fetal exposure: evidence from a sheep model of pregnancy. *Am J Obstet Gynecol*. 219:301.e1-301.e16, 2018. PMID: 29758177
240. Higgins RD, Jobe AH. Reply. *J Pediatr*. 2019;207:264. Epub 2018/12/29. doi: 10.1016/j.jpeds.2018.11.056. PubMed PMID: 30591266.
241. Jain VG, Saroha V, Patel RM, Jobe A. Is early caffeine therapy safe and effective for ventilated preterm infants? *J Perinatol*. 2019;39(5):754-7. Epub 2019/02/17. doi: 10.1038/s41372-019-0336-7. PubMed PMID: 30770880.
242. Jobe AH. Off-Label Drugs in Neonatology: Analyses Using Large Data Bases. *J Pediatr*. 2019;208:9-11. Epub 2019/03/03. doi: 10.1016/j.jpeds.2019.01.038. PubMed PMID: 30824166.
243. Jobe AH. Why, when, and how to give surfactant. *Pediatr Res*. 2019. Epub 2019/03/14. doi: 10.1038/s41390-019-0372-1. PubMed PMID: 30862958.

244. Jobe AH. The Amazing Premature Lung. *Am J Perinatol.* 2019 Jul;36(S 02):S1-S3. doi: 10.1055/s-0039-1691768. Epub 2019 Jun 25. PubMed PMID: 31238349.
245. Kemp MW1, Schmidt AF2, Jobe AH3. Optimizing antenatal corticosteroid therapy. *Semin Fetal Neonatal Med.* 2019 Jun;24(3):176-181. doi: 10.1016/j.siny.2019.05.003. Epub 2019 May 8. PMID: 31103447.
246. Jobe AH. 50 Years Ago in *The Journal of Pediatrics*: The Expanded Lung of the Term Fetus. *J Pediatr.* 2019 Jul;210:33. doi: 10.1016/j.jpeds.2018.12.043. PubMed PMID: 31234989.
247. Jobe AH. Unanticipated Deaths in Randomized Controlled Trials of Very Premature Infants. *J Pediatr.* 2019 Aug 1. pii: S0022-3476(19)30835-2. doi: 10.1016/j.jpeds.2019.06.069. [Epub ahead of print] PubMed PMID:31378520.
248. Jobe AH, Kemp MW, Kamath-Rayne B, Schmidt AF. Antenatal corticosteroids for low and middle income countries. *Semin Perinatol.* 2019 Aug;43(5):241-246. doi: 10.1053/j.semperi.2019.03.012. Epub 2019 Mar 18. PubMed PMID:30979597.
249. Jobe AH, Kemp MW, Kamath-Rayne B, Schmidt AF. Antenatal corticosteroids for low and middle income countries. *Semin Perinatol.* 2019 Aug;43(5):241-246. doi: 10.1053/j.semperi.2019.03.012. Epub 2019 Mar 18. PMID: 30979597.
250. Jobe AH, Abman SH. Bronchopulmonary Dysplasia: A Continuum of Lung Disease from the Fetus to the Adult. *Am J Respir Crit Care Med.* 2019 Sep 15;200(6):659-660. doi: 10.1164/rccm.201904-0875ED. PubMed PMID:31091958.
251. Thébaud B, Goss KN, Laughon M, Whitsett JA, Abman SH, Steinhorn RH, Aschner JL, Davis PG, McGrath-Morrow SA, Soll RF, Jobe AH. Bronchopulmonary dysplasia. *Nat Rev Dis Primers.* 2019 Nov 14;5(1):78. doi: 10.1038/s41572-019-0127-7. Review. PMID:31727986.
252. Jobe AH. Exercise fitness at 14 years: Good news for antenatal corticosteroids. *J Pediatr.* 2019 Dec;215:1-3. doi: 10.1016/j.jpeds.2019.10.030. PMID: 31761130.
253. Kallapur SG, Jobe AH. Lung Development and Maturation. In: Martin CR, Fanaroff AA, Walsh MC, editors. *Fanaroff & Martin's Neonatal-Perinatal Medicine: Diseases of the Fetus and Infant.* 2. 11th ed. Philadelphia, PA: Elsevier; 2020. p. 1124-42.

LECTURES / VISITING PROFESSORSHIPS

2010

Feb. 7-8, 2010
Invited Speaker

Am. Acad. of Pediatrics – Neo Prep, Newport Beach, CA
Pulmonary Development, Bronchopulmonary Dysplasia

Feb. 17-19, 2010
Invited Speaker

Bangkok Int. Neonatology Symposium, Bangkok, Thailand
Strategies to Minimize the Development of BPD
Lessons from Neonatal Clinical Research

Mar. 5, 2010
Lecture

CCHMC Course on Development & Disease
The Barker Hypothesis

Mar. 12-14, 2010
Invited Speaker

Ipcrates Symposium – New Delhi, India
Neonatal Pulmonary Critical Care
Antenatal Corticosteroids – The Good Stuff
Lung Injury in the Delivery Room
The Clever Fetus: Coping with Chorioamnionitis
Miracle Babies, Surfactant, and RDS

Thoughts about the Pathophysiology of BPD
Clinical Research in Neonatology

Mar. 17, 2010
Invited Speaker

Repeat 4 of the lectures in Nagpur, India at Indira Gandhi Gov. Medical Center

Mar. 25, 2010
Invited Speaker

President's Distinguished Lecture – 57th Annual Meeting of the Society for Gynecological Investigation Orlando, FL
Miracle VLBW Babies: Examples of Developmental Plasticity

Apr. 21, 2010
Invited Speaker

Solutions in Obstetrics in Rural Counties – Blue Cross Health Found, Chattanooga, TN
Antenatal Steroids: Risks, Benefits and Treatment Options

May 1-4, 2010
Lectures

Pediatrics Academic Societies, FOPO Symposium on Academic Pediatrics Vancouver, Canada
Residents and Research
J. Pediatrics Workshop – How to Write as Paper
Thomas A. Hazinski Distinguished Service Award from SPR

May 4-6, 2010
Moderator/Lecture

2nd Neonatal Resuscitation Research Workshop, Vancouver, Canada
Summary Talk – Send Us Away Wiser

May 20-23, 2010
Invited Speaker

Southern Association of Neonatologists, Marco Is., FL
Antenatal Corticosteroids
Difficult Questions about Clinical Research in Neonatology
Newborn Implications of Immune Modulation in the Fetus

Sep. 18, 2010
Invited Speaker

European Respiratory Society Symposium, Barcelona, Spain
Managing the Lung of the ELBW in the Delivery Room
Mechanisms of Early Lung Injury

Oct. 2, 2010
Invited Speaker

American Academy of Pediatrics – Fall Meeting, San Francisco, CA
Ventilation Strategies in ELBW Infants

Oct. 19, 2010
Speaker/Participant

Save the Children/USAID/MCHIP, Washington, DC
Workshop: Improving Survival of Preterm Births in Developing Countries
State of Maternal Steroid Use in Developed Countries

Oct. 24-29, 2010
Invited Speaker

Salzburg Columbia Seminar in Maternal and Infant Health, Salzburg, Austria
Antenatal Steroids: A Perinatal Success
Pathophysiology and Treatment of RDS
History and Current Use of CPAP
The Clever Fetus: Coping with Infection

Nov. 15-17, 2010
Invited Speaker

2nd Int. Congress of Union of European Neonatal and Perinatal Societies, Istanbul, Turkey
Perinatal Inflammation and Lung Development
Injury and Inflammation for Resuscitation of the Preterm
Challenges in Clinical Research in Neonatology

Nov. 19-20, 2010
Invited Speaker

Ipcrates 30th Anniversary Celebration, Kos, Greece
Neonatal Clinical Research: from Innovation to the End of Progress

Dec. 6-7, 2010
Planner/Moderator

Hot Topics in Neonatology, Washington, DC

2011

Jan. 16, 2011 Participant	Workshop: Federation of Pediatric Organizations, Houston, TX Crafting the Successful Clinical Scientist
Jan. 28, 2011 Lectures	Children's Hospital, Columbia Univ., New York, NY Pediatric Grand Rounds: The Challenges of Clinical Research Research Talk: The Clever Fetus – Immune Adaptations to Infection/Inflammation
Feb. 17-18, 2011 Lectures	Visiting Professor, University of Illinois, Chicago, IL Pediatric Grand Rounds: Miracle VLBW Babies – Survival with Altered Development Clinical Talk for Residents: Why Surfactant Works for RDS Research Talk: Innate Immune Responses of the Fetus
Feb. 24, 2011 Invited Speaker	Neonatal Frontiers, Orlando, FL Markers of BPD – Cytokines and Early Detection
Mar. 4, 2011 Invited Speaker	California Association of Neonatologists, San Diego, CA Chorioamnionitis and BPD
Mar. 24-26, 2011 Invited Speaker	Advances and Challenges in Neonatology, Lisbon, Portugal Respiratory Adaptations to Birth Why Surfactant Works/Why Many Surfactants do not have BPD
Mar. 31, 2011 Invited Speaker	28th Conference on High Frequency Ventilation, Snowbird, UT 14 th Robert de Lemos Memorial Lecture: CPAP – Where We Have Been and Where We are Going
Apr. 14-15, 2011 Invited Speaker	Non-Invasive Respiratory Care in the Newborn and Infant, Bologna, Italy Minimizing Lung Injury with the Initiation of Ventilation The Pathophysiology and Treatment of BPD
Apr. 18-20, 2011 Teacher	Preventing and Protecting Brain and lung from Injury, Ipcrates – Rome, Italy Can CPAP Preserve the Developing Lungs and Brain BPD – Opportunities to Injure the Lungs and Brain Miracle VLBW Babies – Plasticity and Survival
Apr. 30 - May 3, 2011 Invited Speaker	Society for Pediatric Research, Denver, CO Acute Lung Injury: Pathophysiology and Intervention Targets
Apr. 12, 2011 Recipient of Award	Legends, Harbor UCLA/Biomedical Research Institute Legend – Distinguished Service Award
May 15-18, 2011 Invited Speaker	American Thoracic Association Annual Meeting, Denver, CO BPD: Development and Progression in the NICU
May 26-27, 2011 PhD Defense Symposium Speaker	Karolinska Institute, Stockholm, Sweden PhD Defense: Maria Altman – Moderately Preterm Infants Lecture: Survival Adaptations of VLBW Infants and Implications for Outcomes
Jun. 3, 2011 External Reviewer for Training Program	Rainbow Babies and Children's Hospital, Cleveland, Ohio Lecture: The Clever Fetus: Responding to Inflammation
Jun. 15-17, 2011 Invited Speaker	Perinatal Medicine – 2011, Harrogate, UK 15 th Peter Tizard Lecture - Who are these Miracle Babies?
Aug. 30-31, 2011 Participant and Speaker	NIH Workshop: developmental Origins of Asthma, Bethesda, MD Strategies for Primary Prevention Talk: Prematurity and Other Perinatal Risks for Asthma and Airway Disease

- Sept. 12-13, 2011**
Invited Speaker
Festschrift for Feizal Waffam: How Surfactant Revolutionized the Care of Preterm Babies
Lecture: Chorioamnionitis and Fetal Inflammation
- Sept. 18-20, 2011**
Lectures
34th Mid-Atlantic Conference on Perinatal Research, Charlottesville, VA
Conundrums in Clinical Research in Neonatology
Jerry Elliott Memorial Lecture: Miracle Babies – Why Tiny Babies Survive
- Sept. 28, 2011**
Invited Speaker
European Respiratory Society, Amsterdam, The Netherlands
Symposium: From Chronic Lung Disease in Childhood to COPD
Chronic Lung Disease of Prematurity – An Overview
- Oct. 2-4, 2011**
Invited Speaker/
Session Chair
VI – Recent Advances in Neonatal Medicine, Wurzburg, Germany
Workshop: How to Write a Successful Grant Application
Lecture: Chorioamnionitis and Neonatal Morbidity: A Casual Relationship or Myth?
- Oct. 6, 2011**
Invited Speaker
Symposium for Inauguration of Boris Kramer as Professor, Maastricht, The Netherlands
Thoughts about Lung Injury with Resuscitation of Infants
- Oct. 15, 2011**
Honoree
AAP – National Conference, Boston, MA
Acceptance of Virginia APGAR Award from the Section on Perinatal Pediatrics
- Oct. 22, 2011**
Lectures
Korean Society of Neonatology, Seoul, Korea
BPD: Past, Present, and Future
New Therapeutic Options in BPD
- Nov. 8-10, 2011**
Lectures
10th World Conference of Perinatal Medicine, Punta del Este, Uruguay
New Guidelines for Delivery Room Resuscitation
Risks and Outcomes of Late Preterm Deliveries
Symposium: Ventilatory Support – Lung Injury
Respiratory Support in the NICU – CPAP vs. Ventilation Strategies
- Nov. 14, 2011**
Lecture
4th Day of Clinical Investigator of Maternal – Infant Health, Buenos Aires, Argentina
Clinical Research Networks
- Nov. 29- Dec. 1, 2011**
Visiting Professor
University Texas Southwestern – Department of Pediatrics, Dallas, TX
Prematurity, Inflammation, and Fetal Response
Who are these Miracle VLBW infants?
- Dec. 4-6, 2011**
Moderator
Hot Topics in Neonatology, Washington, DC
- 2012**
- Jan. 23, 2012**
Invited Speaker
AAP NeoPrep, New Orleans, LA
BPD
RDS and Surfactant Physiology
- Jan. 27, 2012**
Invited Speaker
University of Miami, Miami, FL
Ureaplasma and the Preterm Infant
- Feb. 8-10, 2012**
Invited Speaker
Bangkok International Neonatology Symposium: 2012
Translating Scientific Observations into Clinical Practice
Pre and Postnatal Factors that Enhance Survival of VLBW Infants
Panel Presentations: Strategies to Prevent Neonatal Lung Injury
- Mar. 30 – Apr. 1, 2012**
AAP Workshop on Perinatal Practice Strategies, Phoenix, AZ

Invited Speaker	L. Joseph Butterfield Lecture: Why Research Matters, or Conundrums in Neonatal Research Imprinting the Blueprint: The Role of Epigenetics in Neonatology
Apr. 9-10, 2012 Invited Speaker	Adverse Events and Safety in Neonatal Intensive Care, Tallinn, Estonia Newborn Resuscitation and Lung Injury Miracle VLBW Infants – Why they Survive Why CPAP May Protect the Preterm Brain A View of RDS in 2012 Conundrums in Neonatal Research
Apr. 18, 2012 Invited Speaker	U.C. Davis Lung Research Day, Davis, CA Inflammatory Modulation of Lung Development
Apr. 28 - May 2, 2012 Invited Speaker	Pediatric Academic Societies, Boston, MA Experimental Models of Inflammation: Effects on Lung
May 17-19, 2012 Visiting Professor	UCSF Tooty Lecture, San Francisco, CA Miracle Lungs in Tiny Infants The Future of the VLBW-BPD Lung Review of Fellowship Program
May 24-26, 2012 Invited Speaker	3rd International Conference on Clinical Neonatology, Torino, Italy Chorioamnionitis and Fetal Immune Modulation What is RDS in 2012? What is BPD in 2012 and what will BPD Become?
Jun. 13-16, 2012	13th Perinatal Medicine: European Association of Perinatal Medicine, Paris, France Nonventilatory Strategies for Prevention of BPD
Aug. 4-5, 2012 Invited Speaker	Society of Gynecologic Investigation Satellite Symposium: Prematurity and Stillbirth, Brisbane, Australia Fetal Immune Tolerance as a DOHaD
Aug. 6-8, 2012 Invited Speaker	Ipcrates Seminar: Neonatal Pulmonary Critical Care, Singapore Surfactant Administration: Current Concepts Why CPAP Works in VLBW Infants The New BPD: Its Definition, Pathophysiology, and Management Antenatal and Postnatal Steroids: A View from 2012
Sept. 13, 2012 Visiting Professor,	Grand Rounds, University of Chicago-Pediatrics, Chicago, IL The New BPD – Definition, Pathophysiology, and Management
Sept. 17-18, 2012 Invited Speaker	Keynote Speaker: University of Giessen and Marburg Lung Center Symposium on Developing the Lung, Waldeck, Germany What is BPD?
Oct. 4-5, 2012 Invited Speaker	Midwest Society for Pediatric Research, Columbus, OH Founders Award: From Lung Maturation to FIRS
Nov. 7-9, 2012 Invited Speaker	International Seminar on Extremely Premature Newborns, Mexico City, Mexico Miracle Babies – Why do they Survive? What is RDS in the Extremely Premature Neonate? BPD: Why is it Such a Big Problem in the Extremely Premature Neonate?
Nov. 12, 2012 Invited Speaker	Perinatal Symposium, Puebla, Mexico Antenatal Corticosteroids – Standard of Care and Remaining Questions

- Nov. 15-17, 2012**
Invited Speaker
21st Brazilian Congress of Perinatology, Curitiba, Brazil
New Perspective in Antenatal Corticosteroids
Lung Club: From Surfactant and Lung Maturation to FIRS
Strategies to Reduce Neonatal Mortality from RDS
- Nov. 19-20, 2012**
Consultant
Review of Research Programs of Fundasamin, Buenos Aires, Argentina
- Dec. 3-5, 2012**
Participant
Preventing Prematurity: Establishing a Network for Innovation and Discovery, Newport Beach, CA

2013

- Jan. 25, 2013**
Invited Speaker
Resident Teaching Conference: University of Miami
Lung Maturation and RDS
- Feb. 21-23, 2013**
Invited Speaker
Emperical Bioethics: Emerging Trends for the 21st Century
Publishing in Emperical Bioethics
- Mar. 21, 2013**
Invited Speaker
Chiesi Expert Panel Meeting on BPD – Ancona, Italy
an Overview of BPD
- Mar. 22, 2013**
Invited Speaker
One Day on the Neonatal Lung – Ancona, Italy
Inflammation and Injury from Initiation of Ventilation
- Apr. 17-19, 2013**
Invited Speaker
International Seminar #15: Advances in Neonatal Pediatrics
Antenatal Corticosteroids for More Mature Fetuses
Respiratory Distress Syndrome – 2013
Surfactant and Treatment Strategies
Bronchopulmonary Dysplasia – 2013
- Apr. 30 – May 2, 2013**
Invited Speaker
3rd Neonatal Resuscitation Research Workshop, Washington, DC
What is Lung Injury?
- May 3-7, 2013**
Invited Speaker
Pediatric Academic Societies, Washington, DC
Chorioamnionitis and the Pathogenesis of Preterm Labor
- May 9-12, 2013**
Invited Speaker
9th Asian SPR and 20th PSM Annual Congress
The Golden Minute of Resuscitation – Kuching, Malaysia
Surfactant in Reducing lung Inflammation
Prenatal Corticosteroids and Maturational Effects on the Preterm Lung
Chorioamnionitis
- May 13, 2013**
Invited Speaker
Lectures at KL University and for Malaysian Neonatologists
Lung Problems in Prematures – Kuala Lumpur
Antecedents and Outcomes – Malaysia
Surfactant for RDS – Why it Works
- May 15, 2013**
Invited Speaker
Philippine Society of Newborn Medicine, Manila
Antenatal Steroids and Surfactant:
Then Roles in Resource Limited Environments - Philippine Islands
- May 17, 2013**
Invited Speaker
Lecture for Neonatologists – Saigon, Saigon, Vietnam
Surfactant for RDS: Clinical Cases and Treatment Strategies
- May 22, 2013**
Invited Speaker
Trans-Canada Neonatal Conference, Ottawa, Canada
Miracle Babies: The Past and Future of Neonatology

- Jun. 3, 2013**
Invited Speaker
Retirement Symposium Honoring Mikko Hallman, Oulu, Finland
Inflammatory Effects on the Fetal Lung
- Jun. 9-15, 2013**
Invited Speaker
Salzburg/Columbia Univ. Seminar in Maternal and Infant Health, Salzburg, Austria
BPD: Pathogenesis and Inevitability
Why Surfactant Treatments Work
Conundrums in Perinatal Research
Chorioamnionitis and Fetal Inflammatory Response
- Sept. 18-19, 2013**
Invited Speaker
Grand Rounds & Schiff Symposium, Stollery Child Hospital, Edmonton, Canada
Practical Aspects of Fetal and Neonatal Lung Development
BPD – Initiation and Progression
- Sept. 25-28, 2013**
Invited Speaker
Current Concepts in Neonatal Care, Napa, CA
RDS – 2013
CPAP vs. Surfactant Treatment
BPD – Initiation and Progression
Miracle VLBW Babies: Why They Survive
- Nov. 1-3, 2013**
Invited Speaker
17th Hellenic Congress of Perinatal Medicine, Athens, Greece
Unanswered Questions About Antenatal Steroids
Pathophysiology and BKD Outcomes – Better Than We Might Expect?
- Nov. 13-16, 2013**
Invited Speaker
37th Miami Neonatology – 2013, Miami Beach, FL
Antenatal Corticosteroids – Remaining Questions and Concerns
Chorioamnionitis and Ureaplasma: Are They Threats for the Neonate?
- Dec. 10-11, 2013**
Moderator
Hot Topics in Neonatology, Washington, DC
Oxygen Targeting
- 2014**
- Jan. 13, 2014**
Invited Speaker
AAP Neoprep – San Diego, CA
RDS and Surfactant Physiology
Bronchopulmonary Dysplasia
- Jan. 24, 2014**
Visiting Professor
University of Miami – Neonatology – Oxford, OH
Staff Conference: The NICU Environment – What is Good for the Preterm Brain?
Neonatal Conference: Thoughts on Implications of Oxygen Trials
- Feb. 26-28, 2014**
Invited Speaker
Bangkok International Neonatology Symposium – 2014
The Inevitability of BPD in Some Infants
What to do About Optimizing Oxygen?
Why VLBW Infants can Survive
- Mar. 21, 2014**
Visiting Professor
Department of Pediatrics - Columbia University, New York, NY
Grand Rounds: BPD – Inevitability and Outcome
Neonatal Teaching: Chorioamnionitis
- Apr. 29, 2014**
Invited Speakers
Department of Pediatrics – UC Davis, Sacramento, CA
The NICU Environment – What is Good for the Preterm Brain
- May 9, 2014**
Consultant
Abbvie Advisor Committee - Chicago, IL
Lecture: Surfactant Administration - Formulation
- May 17-21, 2014**
American Thoracic Association – San Diego, CA

Invited Speaker	Postgrad Course: BPD Year in Review: Neonatal Lung Disease Poster Symposium: Moderator – What is New in Lung Development and Early Life Infection?
May 22-25, 2014 Invited Speaker	Southeastern Association of Neonatologists – 28th Conference - Marco Island, FL Why do ELBW Infants Survive? NICU Environment: What is Good for the Preterm Infant? Why BPD May be Inevitable in Some ELBW Infants
Jun. 10, 2014 Consultant	Advisory Board for Sobi on KGF for BPD – Stockholm, Sweden Patient Journey, Current Treatment of BPD, and Unmet Medical Needs
Aug. 15, 2014 Invited Speaker	The 1st 5 Minutes – Symposium honoring Ruth Deddish – Northwestern, Chicago, IL Early Lung Protection
Sept. 17-20, 2014 Invited Speaker	The Best of Ippocrates: An Update in Neonatology – Leaven, Belgium The Changing Incidence and Diagnosis of RDS Miracle VLBW Babies – Why and How they Survive BPD – Pathophysiology and Outcomes
Oct. 15-18, 2014 Invited Speaker	VIII Pan American Congress of Neonatology – Cartagena, Columbia What is RDS in 2104? BPD – Pathophysiology and Longer-Term Outcomes The Known and Unknown about Antenatal Steroids
Oct. 28-29, 2014 Participant	FDA/CIP – 1st Annual neonatal Scientific Workshop – Washington, DC Pulmonary Biomarkers in Neonates Clinical Pulmonary Outcomes
Oct. 30, 2014 Invited Speaker	Harbor – UCLA Medical Center – Torrance, CA Antenatal Steroids – Surprising Knowledge Gaps Seminar for Neonatal Fellows
Nov. 12-14, 2014 Invited Speaker	Cure Intensive in Pediatria: L'urgenza Formativa – Padova, Italy The Fascinating History of CPAP BPD – What is It/Can It be Prevented? Lung Injury from Resuscitation of the Preterm The NICU: Risks of Overstimulation or Sensory Deprivation
Dec. 7-10, 2014 Moderator	Hot Topics in Neonatology – Washington, DC BPD – Therapies and Outcomes
2015	
Jan. 21-22, 2015 Visiting Professor	University of Alabama, Birmingham, AL Peds. Grand Rounds: BPD – Inevitability and Outcomes Perinatal Grand Rounds: The Fascinating History of CPAP Fellows Research: Fetal Infection/Inflammation and Newborn Outcomes
Jan. 23, 2015 Visiting Professor	University of Miami – Coral Gables, FL Knowns and Unknowns about Antenatal Steroids Strengths and Weaknesses of Animal Models of BPD
Jan. 26 2015 Participant	NICHD Workshop on Chorioamnionitis – Bethesda, MD Chorioamnionitis, Definitions, Colonization vs. Infection – Benefits & Risks
Feb. 18-19, 2015 Visiting Professor	Loma Linda University/Children's Hospital – Loma Linda, CA Neonatology Joint-Fellowship Conference

Update on Bronchopulmonary Dysplasia
 Evening Lecture: Conundrums in Neonatal Clinical Research
 Perinatal Seminar: Knowns and Unknowns about Antenatal Corticosteroids

Mar. 6, 2015

Lecture

CCHMC 2015 Neofest: Neonatal Resuscitation, Cincinnati, OH

Lung Injury During Resuscitation

Mar. 15, 2015

Lecture

Chiesi – Parma, Italy

BPD into the Future

Mar. 20-22, 2015

Invited Speaker

Jakarta, Indonesia

Symposia: Challenges I Neonatal Care
 Knowns and Unknowns about Antenatal Steroids
 What is RDS in 2015?
 Conundrums in Neonatal Clinical Research
 BPD: Treatment and Prevention
 The Value of Neonatal Networks

Mar. 24, 2015

Invited Speaker

Dr. Sardjito Hospital, Yogyakarta, Indonesia

Antenatal Corticosteroids in At-Risk Pregnancies

Mar. 27-29, 2015

Invited Speaker

22nd Perinatal Society of Malaysia Congress – Johor, Malaysia

Plenary: The Preterm Lung – What is RDS in 2015?
 The use of Steroids and Knowledge Gaps
 Neonatal Outcomes of Chorioamnionitis
 Special Lecture: Conundrums in Neonatal Research

Mar. 30, 2015

Invited Speaker

University Hospital – Kuala Lumpur, Malaysia

BPD & RDS

Apr. 28-30, 2015

Invited Speaker

4th Neonatal Resuscitation Research Workshop – San Diego, CA

Why CPAP does not Prevent BPD

May 7-9, 2015

Invited Speaker

IPOCRATES: Care of the Extremely Preterm Infant – Oporto, Portugal

Effects of Chorioamnionitis on the Developing Fetus
 The Fascinating History of CPAP
 What is RDS in ELGANS?
 Antenatal and Postnatal Steroids in ELGANS
 Sensory Exposures and Brain Development

May 14-15, 2015

Invited Speaker

Children's Hospital of Michigan – Detroit, MI

7th Dr. Sophie Womack Lectureship:
 Chorioamnionitis/Inflammation and Neonatal Outcomes
 Conundrums in Neonatal Research

May 16-19, 2015

Invited Speaker

American Thoracic Society – Denver, CO

PROP – NHLBI: BPD in the Era of the Modern NICU

Jun. 4-5, 2015

Invited Speaker

Mid-Atlantic Neo Forum – 2015 - Morristown, NJ

The NICU Environment – What is good for the Preterm Brain?
 Fetal Inflammation and Newborn Outcomes

Jun. 8-9, 2015

Invited Speaker

Pediatrics and Newborn Medicine, Brigham & Women's Hospital, Harvard University

The Knowns and Unknowns about Antenatal Steroids
 The Ventilator – BPD Dilemma

Aug. 7, 2015

Organizer

25th Annual Meeting for Sheep Research – Pemberton, Western Australia

25 Years of Research . . . and Still Loving It

- Sept. 2-3, 2015**
Moderator/ Participant
Antenatal Corticosteroids Research Webinar, Washington, DC
Summary of ANS Research
- Sept. 4, 2015**
Invited Speaker
Millers Children's Hospital at Long Beach Memorial, Long Beach, CA
CPAP/Ventilation/BPD
The NICU Environment - What is Good for the Preterm Brain?
- Sept. 9-10, 2015**
Invited Speaker
International Conference for Evidence-Based Neonatology
Antenatal Steroids: evidence Limits to Contemporary Clinical Practice
- Sept. 18-20, 2015**
Moderator/Speaker
2nd Annual Neonatal and Cardiopulmonary Biology Young Investigators Forum, Chicago, IL
Reflections of a Career: Advice for the Next Generation
- Oct. 1-2, 2015**
Organizer/Speaker
NHLBI Workshop: Prenatal/Postnatal Determinants of Lung Health and Disease in Early Life
Overview: Perspective and Goals
- Oct. 7-8, 2015**
Invited Speaker
Global Experts Meeting 13: Rome, Italy
Fetal and Neonatal Exposures that Effect Lung Outcomes Workshop:
When to Intubate and Extubate
- Oct. 15-17, 2015**
Invited Speaker
6th International Seminar in Neonatology – Acapulco, Mexico
Fetal Infections that Affect the Newborn
Lung Injury During Resuscitation
- Oct. 24, 2015**
Nominator & Introducer
Presentation of Virginia Apgar Award to Dr. Jeffrey Whitsett, MD
- Oct. 29, 2015**
Invited Speaker
2015 Bowman Symposium on Neonatal Research – Winnipeg, Canada
- Nov. 27-28, 2015**
Invited Speaker
Cool Topics in Neonatology – Royal Women's Hospital, Melbourne, Australia
Antenatal Steroids – the Unknowns
The Fascinating History of CPAP
Pathophysiology of Chorioamnionitis and Newborn Outcomes
- Dec. 3, 2015**
Invited Speaker
Memorial Symposium – Columbia University, New York, NY
BPD
- Dec. 6-9, 2015**
Organizer/Moderator
Hot Topics in Neonatology
What Oxygen Saturation Should We Use?
- 2016**
- Jan. 22, 2016**
Invited Speaker
Visiting Professor – University of Miami Neonatology – Miami, FL
Antenatal Associations with BPD
The Fascinating History of CPA
- Jan. 23-29, 2016**
Invited Speaker
2016 NeoPrep for AAP – Atlanta, GA
RDS and Surfactant Deficiency
Choric Lung Disease
Expert Panel: Resuscitation of ELBW infant
- Feb. 1-2, 2016**
Invited Speaker
Course the Recently Born Preterm – Soociedad Cubana de Pediatria Havana, Cuba
How Surfactant Works

Use of CPAP in the Recently Born Preterm
 Fundamentals of Use of Antenatal Steroids
 Clinical Outcomes after Chorioamnionitis

Feb. 24-26, 2016

Invited Speaker

International Neonatology Symposium Bangkok, Thailand

Challenges for Clinical Research in Neonatology
 The New Face of RDS

Mar. 30 – Apr. 1, 2016

Invited Speaker

The Neonate: An International Symposium for Asia – Shanghai, China

How to write a successful grant
 BPD: Inevitability and Outcomes
 Chorioamnionitis, the Fetus and the Newborn

May 23-27, 2016

Invited Speaker

2016 Salzburg Columbia Maternal and Infant Health Seminars - Salzburg, Austria

Remaining Questions about Antenatal Steroids
 Conundrums in Neonatal Clinical Research
 Chorioamnionitis – A Most Complex Disease

Jun. 24-25, 2016

Invited Speaker

**XI Congress of the Dominican Society of Perinatal Medicine
 Punta Cana, Dominican Republic**

BPD: Pathophysiology and Outcomes
 New Results and Remaining Questions about Antenatal Steroids

Sept. 22-24, 2016

Invited Speaker

6th ICCN International Congress on Clinical Neonatology – Turin, Italy

Mechanisms of Lung Injury and BPD
 When to Intubate

Sept. 29-30, 2016

Invited Speaker

4th International Neonatal Conference “NEONATUS 2016” – Poznan, Poland

Antenatal Associations with BPD
 Conundrums in Neonatal Clinical Research

Nov. 5-8, 2016

Invited Speaker

Miami Neonatology 2016 – Miami, FL

Postnatal Steroid to Prevent/Treat BPD
 Evidence Based Neonatology
 Clinical Chorioamnionitis – Neonatal Perspectives

Nov. 14-17, 2016

Invited Speaker

The Best of Ippocrates: Singapore

Evidence in Neonatal Medicine
 Uncertainties about Antenatal Steroids

Dec. 4-7, 2016

Moderator

Hot Topics in Neonatology – Washington, DC

Goals for Oxygen in the Preterm

2017

Feb. 1-4, 2017

Invited Speaker

Federacion Nacional De Neonatologia de Mexico - Merida

What will RDS be in 2017?
 Neonatal Evidence-based Medicine

Feb. 23-26, 2017

Invited Speaker

Award

NEO Conference - Orlando, FL

Can You Prevent BPD? Pro and Con
 Legend in Neonatology Award

Mar. 24-25, 2017

Invited Speaker

11th Samsung Neonatology Symposium - Seoul, South Korea

Evidence based medicine in neonatology - our limits
 Postnatal Steroids: A brief history and new strategies

Mar. 31, 2017

Chiesi: AKSTEM Advisory Board - Parma, Italy

Consultant	Present and Future Definitions of BPD
May 6-9, 2017 Invited Speaker Awardee	Pediatric Academic Societies - San Francisco, CA Perinatal Risk Factors for BPD Acceptance of Mary Ellen Avery Neonatal Research Award Abstract presentations by research collaborators (3).
May 9-10, 2017 Invited Speaker	5th Neonatal Resuscitation Workshop - Napa, CA Primary Outcomes vs. Surrogate Measures
May 31, 2017 Invited Speaker	Building Better Babies Symposium - Univ. of Colorado, Denver Chorioamnionitis: A Complex Confounder of Perinatal Outcomes
June 9, 2017 Invited Speaker	Academic Scholarship Conference - Children's Mercy Hospital, Kansas City, MO Antenatal Steroids: Old Data and New Concerns Neonatal Research: My Perspective
July 31-Aug. 1, 2017 Invited Speaker	Fisher & Paykel - Auckland NZ Neonatal Lung Development and Physiology Neonatal Resuscitation Workshop
Aug. 2-3, 2017 Invited Speaker	Liggins Institute - Auckland NZ Antenatal Steroids: Compelling or Concerning
Sept. 4-7, 2017 Invited Speaker	The Best of Ipokrates: An Update in Neonatology - Amsterdam, The Netherlands RDS: Where have we been and where are we going? Chronic Lung Disease: The New BPD Breakout session on mechanical ventilation
Sept. 7-9, 2017 Invited Speaker	Summer Conference on Neonatology - Avignon, France Antenatal Associations with BPD
Oct. 1-5, 2017 Poster Presentation	Gates Grand Challenges - Washington DC Strategies to Develop Oral Dosing for Antenatal Steroids
Oct. 13, 2017 Invited Speaker	Stanford - Pediatric Grand Rounds - Palo Alto, CA Antenatal Steroids: Old Data and New Concerns Fellows Conference: Approaches to Better ANS Treatment
Oct. 28, 2017 Invited Speaker	Vermont Oxford Network Quality Conference - Chicago, IL Translating the Evidence into Practice: Antenatal Steroids BPD: Why are we failing to move the Big Dot?
Nov. 27-Dec. 1, 2017 Visiting Professor	Perinatology Institute of Mexico - Mexico City Daily lectures on: Antenatal steroids, RDS, BPD, Chorioamnionitis, Postnatal steroids
Dec. 5, 2017 Invited Speaker	50th Anniversary of BPD Symposium - Stanford School of Medicine - Palo Alto, CA What is BPD: Then and Now
Dec. 10-13, 2017	Hot Topics in Neonatology - Washington DC Moderator and Program Committee
2018	
Feb. 14-17, 2018 Invited Speaker	Canadian Perinatal Association – Banff Canada Keynote: Antenatal Interventions: Neonatal Stress and Resilience – Lasting effects of ANS
March 7-10, 2018	9th International neonatal Symposium – Bangkok

Invited Speaker	The Evidence: How do ANS measure up? Do Postnatal Steroids have a New Future? The BPD Conundrum Clinical Case Management: Neonatal Fellows
March 20, 2018 Invited Speaker	Robert Cotton Memorial Lecture, Department of Pediatrics, Vanderbilt University Evidence for a Standard of Care: ANS
May 9-10, 2018 Invited Speaker	Symposium for Retirement of Barbara Schmidt and Haresh Kirpalani Children's Hospital of Philadelphia Pitfalls of Applying Evidence in Different Environments
May 13-19, 2018 Course Faculty	Salzburg – Columbia Maternal and Fetal Seminar – Salzburg, Austria Rational Use of Postnatal Steroids What is RDS? New Concepts about Fetal Inflammation Antenatal Steroids: Research Study Designs
May 20-24, 2018 Invited Speaker	7th International Conference on Neonatology – Torino, Italy Less Surfactant and Less Intubation: Discussion with Nestor Vain
June 20-24, 2018 Faculty	Best of Ipocrates – Rio de Janeiro, Brazil Lung Maturation and RDS – 2018 Antenatal Steroids: Limitations of Evidence for Standard of Care Unanticipated Mortality in Neonatal RCT's BPD – Injury/Repair Paradigm and Prevention Strategies (with Rich Polin)
Sept. 5, 2018 Invited Speaker	McGill University – Usher Memorial Lecture – Montreal, Canada Antenatal Steroids: What is Evidence Base Neo group 1: The Conundrum of Solving BPD Neo group 2: Unanticipated Deaths of ELBW Infants in RCT's: Why?
Sept. 20-21, 2018 Invited Speaker	Bangalore India IGICH Hospital - Respiratory Distress Syndrome Bronchopulmonary Dysplasia Neonatology/Ob, Bangalore - Antenatal Corticosteroids Chorioamnionitis
Oct. 1, 2018 Stockholders Meeting	Bill and Melinda Gates Foundation - Seattle Outcomes of PK studies with sheep and monkey
Oct. 31, 2018 Invited Speaker	Department of Pediatrics - University of Oklahoma - Oklahoma City Grand Rounds: The Conundrum of Solving BPD Neonatal Program: Unanticipated deaths in ELBW infants
Nov. 8, 2018 Invited Speaker	Children's Hospital of Orange County: 10th Annual Academic Day for Neonatology Unexplained ELBW deaths in RCTs
Nov. 9, 2018 Invited Speaker	UCLA Department of Pediatrics - Los Angeles, CA Grand Rounds: Antenatal Steroids: Changing Evidence for Standard of Care
Dec. 5, 2018 Invited Speaker	Hot Topics in Neonatology - Washington DC ANS: Which Drug and Dose
Dec. 13, 2018 Invited Speaker	Oregon Health Science – Portland, OR Fellows Lecture: Unanticipated Deaths in RCT's of ELBW infants
2019	
Jan 4, 2019 Invited Speaker	CCHMC Combined Pulmonary – Neonatal Research – Cincinnati, Ohio Postnatal steroids in sick preterm infants

Jan 7-8, 2019 Invited Speaker	Gates Medical Research Institute – Boston, MA ACS – Dosing and Risks – Review for protocol development.
Jan 15, 2019 Invited Speaker	CCHMC BPD Symposium / Pediatric Grand Rounds A past, present and future perspective on BPD
Feb 14, 2019 Invited Speaker	Neonatal Grand Rounds – Cincinnati, Ohio The conundrums of solving BPD
Feb 27-Mar 1, 2019 Invited Speaker	10th International Neonatology Symposium – Bangkok, Thailand The amazing lung Unanticipated deaths in ELBW infants in clinical trials CPAP: History and current uses Case Discussion with Faculty, Fellows and Residents
Apr 4-6, 2019 Organizer & Moderator	2nd World Congress – Maternal Fetal Neonatal Medicine – London, UK Infections in the perinatal and newborn period. – Symposium Hot Topics in Neonatology: Antenatal Steroids – Benefits and Risks
Apr 27-30, 2019 Invited Speaker	Pediatric Academic Societies – Baltimore, MD The conundrums of solving BPD
Apr 30-May 2, 2019 Moderator & Speaker	6th Neonatal Resuscitation Conference – Bolger Center - MD Optimizing lung aeration – the current landscape Surfactant – Where to next for surfactant?
June 9-10, 2019 Invited Speaker	10th International Conference on Clinical Neonatology – Venice, Italy Neonatal Lung – Talk given by Video Conference
June 13-14, 2019 Invited Speaker Keynote Speaker	27th Annual International Neonatal Conference – Middlesbrough, UK What is BPD? Do we have an answer? Evidence Based Antenatal Steroids: The Future
Aug 10-13, 2019 Invited Speaker Invited Speaker	Innovations in Neonatal Care: The Future is Now! Conference- Austin, TX Unanticipated Deaths in the ELBW Infant in RCTs What is BPD in 2019?
Aug 27-29, 2019 Invited Speaker	WHO/Gates Foundation ACTION Trial Meeting Istanbul; Turkey A Review of the Animal Model for Antenatal Corticosteroids.
Sept 17-21 Invited Speaker	Joint European Neonatal Societies- Maastricht, The Netherlands Robertson Memorial Lecture- Miraculous Lung Maturation.
Sept 28- Oct 1 Invited Speaker	European Respiratory Society Fetal and Neonatal Lung Development: The Impact of Multiple Pre and Postnatal Exposures
Oct 25 Invited Speaker Invited Speaker	Neonatal Day- University of Iowa Grand Rounds: Bringing Antenatal Steroids from the Past into the Future Neonatal Division: Unanticipated Deaths in RCTs of ELBW Infants
Nov 9 Invited Speaker Invited Speaker	Children’s Hospital of Wisconsin- Milwaukee WI Grand Rounds: Bringing Antenatal Steroids from the Past into the Future Neonatal Division: Unanticipated Deaths in RCTs of ELBW Infants
Nov 11-13 Keynote Speaker Invited Speaker	Miami Neonatology 2019- 43rd International Conference- Miami FI Keynote: Making Better Babies Lecture: What is BPD in 2019?

Invited Speaker	Workshop with Augusto Schmidt: Postnatal Corticosteroids
Nov 16	Millenium Neonatology: Building a Better Pathway for Premies- Women and Infants Providence, RI
Keynote Speaker	Keynote: Making Better Preterm Babies
Nov 19-22	The Best of IPOKRATES: an Update in Neonatology- Naples, Italy
Invited Speaker	History of CPAP
Invited Speaker	Antenatal Steroids and Surfactant
Invited Speaker	Unanticipated Deaths of VLBW infants in Clinical Trials
Dec 2	Chiesi Pharma- Parma Italy
Invited Speaker	BPD and Future Perspectives
Dec 9-11	Hot Topics in Neonatology- Natonal Harbor, Maryland
Invited Speaker	Unanticipated Deaths of ELBW Infants in RCTs.